

EXHIBIT F

Page 1

1 UNITED STATES DISTRICT COURT
2 NORTHERN DISTRICT OF ILLINOIS
3 EASTERN DIVISION

4 KEITH ROGERS,

5 Plaintiff,

6 v.

7 No.

8 SHERIFF OF COOK COUNTY and COOK
9 COUNTY, ILLINOIS,

10 15-cv-11632

11 Defendant.

12 VIDEOCONFERENCE DEPOSITION OF

13 ADEYEMI FATOKI, M.D.

14 DATE: Monday, October 3, 2022

15 TIME: 10:02 a.m.

16 LOCATION: Remote Proceeding

17 Chicago, IL 60606

18 REPORTED BY: Maureen Foody, Notary Public

19 JOB NO.: 5468516

20

21

22

23

24

Page 2

1 A P P E A R A N C E S

2 ON BEHALF OF PLAINTIFF KEITH ROGERS:

3 KENNETH FLAXMAN, ESQUIRE (by videoconference)

4 Kenneth Flaxman PC

5 200 South Michigan Avenue, Suite 201

6 Chicago, IL 60604

7 knf@kenlaw.com

8 (312) 427-3200

9

10 ON BEHALF OF DEFENDANT SHERIFF OF COOK COUNTY:

11 FRANCIS CATANIA, ESQUIRE (by videoconference)

12 Cook County State's Attorney

13 50 West Washington, Suite 302

14 Chicago, IL 60602

15 francis.catania@cookcountyil.gov

16 (312) 603-4554

17

18 MOLLY E. BOEKELOO, ESQUIRE (by videoconference)

19 Assistant United States Attorney

20 219 South Dearborn Street

21 Chicago, IL 60604

22 molly.boekeloo@cookcountyil.gov

23

24

Page 11

1 Illinois?

2 A No, no, no. But the one that I said, with
3 the overdose, it was -- the attorney was Tim Tyler.
4 That was the plaintiff's attorney, but I don't
5 remember the name of the patient that time.

6 Q Can you repeat the name of the plaintiff?

7 A Attorney Timothy Tyler.

8 Q Okay. Doctor, I'm just going to introduce
9 our first exhibit, Exhibit 1.

10 (Exhibit 1 was marked for
11 identification.)

12 Q Is this your resume?

13 A Yes.

14 Q And I am cognizant of your time, so I'll try
15 to go through it briefly, but I just want to get an
16 idea of your educational and medical background.

17 Q What undergraduate college did you attend?

18 A Western Illinois University in Macomb,
19 Illinois.

20 Q And what year did you graduate?

21 A 1985.

22 Q And when did you attend medical school?

23 A From 1986 to 1990.

24 Q And what was the university or college that

Page 15

1 Q And what was the master's in?

2 A One was -- one is a Master's in Public
3 Health, and the other one is a Master's in Addiction
4 Studies from Governors State University.

5 Q And when did you get your Masters in
6 Addiction Studies?

7 A I have to refer to my CV, if you don't mind.
8 Scroll up, or I can look at my copy.

9 Q Sure.

10 A Just to be exact here. 2011.

11 Q 2011, okay.

12 A Yeah.

13 Q And how long was that program?

14 A I believe it was for one year.

15 Q Do you recall what type of courses you took
16 while getting your Master's in Addiction Studies?

17 A Yes. We did psychopharmacology. We --
18 there was some research involved. There were classes
19 on counseling techniques. There were classes on how
20 to do group. There were also classes on the disease
21 model of addiction.

22 Q Were there any classes that were specific to
23 opioid use disorder?

24 A I believe there were some, because we did

1 Q And what's the difference between an
2 antagonist and an agonist?

3 A An agonist is similar to the medication that
4 you're using it for, meaning that it works just the
5 same way as an opioid. But an antagonist is something
6 that blocks the opioid receptors completely, so you
7 don't have the same effect that you would from an
8 opioid.

9 Q Do patients who are taking opioid agonists
10 experience any sort of high associated with them?

11 A Well, on methadone, they can if the dose is
12 high. But if the dose is effective, they should not
13 experience a euphoria, and they should not, also, feel
14 sick. So if the dose is where it's supposed to be,
15 should maintain, and they should feel more normal.

16 Q So under an opioid agonist, it's possible to
17 experience some sort of euphoria associated with the
18 drug?

19 A Yes, with a pure opioid agonist.

20 Q And what about with an antagonist? Is that
21 possible?

22 A No, but with -- buprenorphine is a partial
23 agonist and antagonist, so that, also, patients don't
24 experience euphoria with that, because it has a

1 ceiling effect.

2 Q On your resume, I might skip around a little
3 bit, but I'm not going to go through every single job
4 you have listed on here. But looking at Exhibit 1, it
5 looks like you worked at the Treatment Alternatives
6 for Safer Communities from October 2017 to December
7 2020. Is that accurate?

8 A Yes.

9 Q And can you just describe what type of
10 organization this was and what type of patients you
11 treated?

12 A So TASC is an organization that contracts
13 with the court system to try to -- to treat patients
14 who have opioid or substance use disorder. So at the
15 time that I worked for them, they were trying to get
16 more into treatment using medications. So what I did,
17 mainly, was meeting with the staff, making treatment
18 recommendations, and also signing off on treatment
19 plans.

20 Q And it lists you as a physician consultant.
21 Is that an accurate description?

22 A Yes.

23 Q Approximately how many hours a week were you
24 working for TASC during this time?

Page 20

1 A It was about maybe 10 to 15 hours a week.

2 Q Did you have any face-to-face time with
3 patients?

4 A No.

5 Q Did you prescribe any medications?

6 A No.

7 Q Was this organization, TASC, a licensed
8 opioid treatment provider?

9 A No, they were not. At least not at the
10 time. I don't know if they are now, but at the time,
11 they were not licensed.

12 Q What's your understanding about what it
13 means to be a license opioid treatment?

14 A Well, you have to be licensed, because -- I
15 do have two licensed opioid treatment programs. I run
16 one in Calumet City, Illinois that I started in 2007.
17 And I have one in Ottawa that I believe we started
18 around 2013, and both are licensed and accredited. So
19 basically, we went through the regulations.

20 We're approved by SUPR, which was formerly
21 known as DASA. We have a DEA license. We also have a
22 -- the federal OTP license, and we're accredited by
23 CARF. So we are licensed to provide methadone
24 treatment for both detox and maintenance, and we also

1 do buprenorphine.

2 We provide counseling. So basically, I
3 mean, it means that we can treat patients with opioid
4 use disorder using methadone and other FDA-approved
5 modalities.

6 Q So were patients at TASC receiving either
7 methadone or any other medications to treat opioid use
8 disorder at TASC?

9 A They were not receiving it at TASC, but they
10 were receiving it from programs in the community.

11 Q Okay. So they weren't actually
12 administering any methadone or other treatment?

13 A No.

14 Q You also have on your resume here in Exhibit
15 1 that you worked at Wellpath from July 2017 to
16 present. Is that accurate?

17 A I actually resigned that position in October
18 of last year.

19 Q So you worked there until October of 2021?

20 A Yes.

21 Q And was there any reason for your
22 resignation from that organization?

23 A I guess I was more or less burned out, but
24 if -- I will tell you what happened. I had a lawsuit

Page 22

1 that I didn't feel I had enough support from them, and
2 I did win the lawsuit. So after that lawsuit, I
3 resigned from them. And they all tried to get me to
4 come back on part-time, but I -- so far, I mean, my
5 life is a whole lot less stressful, so I have resisted
6 that.

7 Q So you filed a lawsuit against Wellpath?

8 A No. A patient filed a lawsuit against
9 Wellpath, the jail where the incident happened, the
10 nurses and myself. It was a patient who committed
11 suicide while she was in the -- in the work release
12 program.

13 But basically, the -- I guess the bottom
14 line is they said the reason she committed suicide was
15 because we did not provide her clonazepam which is a
16 benzodiazepine that she was on. But at the same time,
17 the reason she was in jail is because she attempted
18 suicide with an overdose of clonazepam that another
19 physician has prescribed her months earlier.

20 But Wellpath, like, they settled,
21 themselves, and the nurses. But I went to trial, and
22 I did win the case, you know, so the case against me
23 was dismissed, since I had nothing to do with it. But
24 I resigned after that.

Page 30

1 program. They hired a medical director to oversee the
2 implementation and treatment in the jails that they
3 currently have contracts with.

4 Q I think you testified a second ago that you
5 may have provided treatment to inmates at these
6 facilities who had opioid use disorder because of your
7 ability to prescribe medication. Is that accurate?

8 A Yes.

9 Q And so there were physicians at these
10 facilities who did not have the ability to prescribe
11 methadone or buprenorphine to inmates at the facility.

12 A That's correct. But, I mean, the methadone
13 is different, because, again, someone could bridge
14 there for three days, up to three days, and continue
15 their methadone. But for buprenorphine, you needed to
16 have a waiver, and I was licensed in all the states
17 where I had jails. I also had my own DEA numbers and
18 my X waivers, so I was able to prescribe the
19 medication in the state where I worked.

20 Q When you say "waiver," what is that a waiver
21 from?

22 A It's from the federal government, from
23 SAMHSA and CSAT. And that's just -- you go through a
24 training, and that qualifies you to be able to

Page 33

1 licensed by the State of Illinois through -- it used
2 to be called DASA, but it's called SUPR now, S-U-P-R,
3 Substance Use Prevention and Recovery Department,
4 which is a division of the Department of Human
5 Services, and we accredited by CARF, C-A-R-F.

6 Q Approximately how many patients would you
7 have at a given time at Great Heights OTP?

8 A We are licensed to treat, I believe, 200
9 patients at each location that we have. so we have
10 two locations, one in Calumet City, and one, Ottawa,
11 Illinois.

12 Q And have you ever treated patients at the
13 capacity that you were allowed to?

14 A Not to the full capacity, no.

15 Q Would you have more than 100 patients at a
16 time?

17 A Yes.

18 Q And approximately how many physicians worked
19 at Great Heights OTP?

20 A I'm the main person, but I also have a
21 friend who works part-time.

22 Q In order to provide any treatment for opioid
23 use disorder at Great Heights OTP, would your patients
24 need to be insured?

Page 38

1 it's actually addiction medicine. It's a subspecialty
2 board through the preventive medicine. So I'm boarded
3 in family medicine and addiction medicine.

4 Q Okay. And it also says bariatric medicine.

5 A Yeah, but I did not retake the exam for
6 that. I was -- that expired in -- I think in
7 September, but I'm not going to get recertified.

8 Q What is bariatric medicine?

9 A Obesity medicine.

10 Q Obesity. I believe you testified earlier
11 you're licensed to provide medically assisted opioid
12 treatment.

13 A With the buprenorphine waiver? Yes, I have
14 the buprenorphine waiver. I'm licensed to do that.

15 Q And are you licensed to provide methadone?

16 A Yes, through the OTP. The OTP is licensed
17 to provide methadone.

18 Q Yeah. I was just going to ask you: Is the
19 program, itself, licensed to provide methadone?

20 A Yes. For methadone, only licensed OTPs can
21 use methadone to treat methadone; okay? But as a
22 physician, I can prescribe methadone for pain, but I
23 don't do that. But only a licensed OTP is allowed to
24 prevent -- or to the prescribe methadone for the

Page 39

1 treatment of substance use disorder.

2 Q Physicians that work within a licensed OTP,
3 do they have to go through a separate process in order
4 to work for that OTP?

5 A No.

6 Q So they just have to be a licensed
7 physician?

8 A Yes.

9 Q But in order to prescribe buprenorphine, you
10 would have to have a waiver.

11 A Yes, you have to go through an eight-hour
12 course and get a waiver.

13 Q And I think you testified before the Great
14 Heights OTP was licensed by SAMHSA.

15 A Yes, it's licensed by SAMHSA, or CSAT, which
16 is a division of SAMHSA.

17 Q Have you written any literature on addiction
18 treatment?

19 A No.

20 Q Do you have any published work on either
21 addiction treatment or OTP?

22 A No.

23 Q Have you ever been sanctioned by any
24 licensing board?

Page 40

1 A No.

2 Q Have you ever faced discipline by any of
3 your employers, as a physician?

4 A No.

5 Q Have you ever been convicted of a felony?

6 A No.

7 Q And we touched on them already, so we don't
8 have to spend a lot of time on this, but I just want
9 to kind of go through the different type of treatments
10 for opioid use disorder.

11 A Okay.

12 Q And we mentioned before, one of them is the
13 forced withdrawal.

14 A Yes.

15 Q And how would you describe forced
16 withdrawal?

17 A I mean, it's the -- just stopping the
18 medication that patient was on. If the patient was
19 using illicit opioids like heroin or methadone, if
20 they don't have it -- not methadone, heroin or
21 prescription opioids like Norco or oxycodone. If they
22 don't get the medicine after certain hours, you know,
23 they start withdrawing from the medication.

24 So forced withdrawal is not giving them

Page 41

1 anything to alleviate their symptoms. But also, if
2 someone is maintained on the medication -- so, for
3 example, you have someone who is maintained on
4 buprenorphine or methadone, you just stop giving them
5 the medication, they will go into withdrawals after a
6 few hours, depending on the half-life of the medicine.

7 You know, but when that happens, either you
8 -- I mean, you know, I've been -- I've been working in
9 jails since around, I think, 2005. And when I first
10 got into the jails, you know, honestly, we didn't do
11 anything for the withdrawals. It's just like -- it's
12 just like having a bad case of the flu. They will be
13 okay. You know, but that's part of the reason I got
14 into addiction treatment.

15 I did not think that it was fair to treat
16 people like that. And I also realized that once
17 people got detoxed, they were actually very normal
18 human beings, like me, and I could have reasonable
19 conversations with them. And I also refused to
20 believe that people ruining their -- or intentionally
21 trying to ruin their own lives.

22 That's why I got into the treatment of
23 addiction, and forced withdrawal is just not the right
24 thing to do. That's why I worked from the inside to

Page 42

1 make the changes that I could. That's why I went to
2 get the extra training that I have.

3 Q Other than forced withdrawal, is maintenance
4 medication a form of treatment of opioid use disorder?

5 A Yes, it is.

6 Q And how would you describe maintenance
7 treatment?

8 A Maintenance treatment is mainly giving the
9 -- there are three medications that are approved, or
10 three classes. So methadone is the one that's been
11 around the longest, and that's the one that most of
12 the research was done on. So basically, whatever is
13 approved has to work at least as well as methadone or
14 work almost as well methadone.

15 So giving someone maintenance treatment is
16 basically the same thing as giving someone blood
17 pressure medicine, or giving a patient with diabetes
18 insulin or medications to control their blood sugar.
19 So giving someone maintenance medication is
20 controlling their addiction. It's preventing the
21 physical withdrawal. It's helping them to be able to
22 function around it.

23 Q And are some people on maintenance
24 medication indefinitely?

1 A Yes.

2 Q And are some gradually taken off the
3 medication?

4 A Yes.

5 Q Is that process known as tapering?

6 A It is. It's -- it is, but again, that's
7 something the patient should work with the provider to
8 decide if that will be appropriate for them. But
9 again, it has to be individualized.

10 Q Okay. And with respect to tapering, are
11 there different ways to approach tapering a patient
12 off of an opioid maintenance medication?

13 A Yes.

14 Q What would be those differences?

15 A I mean, again, if someone comes in, they're
16 withdrawing from illicit medications, sometimes, we'll
17 do a five-day detox, which will help with alleviating
18 some of the symptoms that they are having. It also
19 depends on how comfortable the patient is, so it's
20 just gradually reducing the dose of the medication
21 over time.

22 You know, and it depends on how the patient
23 feels, what they really want to do, what they are
24 trying to accomplish. Some patients, depending on

Page 44

1 what their situation is, they may choose to be detoxed
2 quicker. You know, and some patients may just decide
3 to be gradually over time.

4 Q What would be some of the reasons that
5 someone would want to taper at a more rapid pace, as
6 compared to a slower place?

7 A I mean, sometimes, it might because they are
8 going to be going to a jail that doesn't have an OTP,
9 or they're going to prison. A lot of prisons don't
10 provide medication assisted recovery. Sometimes, it
11 might be because they are trying to get a job that
12 will not allow them to take the medication that they
13 are on. Sometimes, it's from the stigma. Sometimes,
14 it's from the family pressure. I mean, so it just
15 depends on whatever reason the person has.

16 Q And have you personally treated patients who
17 have elected to undergo the tapering process?

18 A Yes.

19 Q And how do you determine at what rate you
20 taper them?

21 A It really depends on the patient and how
22 they feel, for the most part, if they have a choice in
23 it. You know, so -- well, and what I do is maybe
24 decrease by 5 milligrams, but it depends. Like, the

Page 45

1 will -- if they tell me over a period of time, but I
2 don't do a standing order, meaning that I'm not going
3 to add an order to put down by 5 milligrams every day
4 or whatever. So it depends on the patient, and I'll
5 work with them to see how they feel.

6 Whenever they say, "I need to stop right
7 here," then we'll stop, but it's just working with the
8 patient. But if they're going to jail, like if
9 someone is like, "Oh, I'm going to jail," or, "I'm
10 going to prison next week, and I need to be off the
11 medicine," then we'll do it within a week. It just
12 depends on the patient.

13 Q And would one of the reason to undergo a
14 taper as opposed to, I guess -- let's just say cold
15 turkey would be a term for just stopping the
16 medication abruptly; correct?

17 A Yes.

18 Q And would a patient who undergoes a taper,
19 is that more beneficial than going cold turkey?

20 A I mean, obviously, yes, it is. And it's
21 because cold turkey means that you are just stopping
22 the medicine and not really providing any symptomatic
23 relief to the patient. The risk of suicide is higher
24 when people are detoxing like that, and also, the risk

Page 46

1 of death.

2 They can become dehydrated, you know, from
3 diarrhea and vomiting. They could end up dying. So
4 it's just increased morbidity and mortality when you
5 do that. It's not a humane way to treat people.

6 Q So a tapering offers better treatment for
7 the mental and physical effects of withdrawal, as
8 compared to cold turkey. Would that be accurate?

9 A I mean, I won't say it offers better
10 treatment. It offers -- it's a more humane way. It
11 offers a little more relief to the patient than just
12 not giving them anything. You know, the reason I say
13 that, I'm not going to -- if someone comes into the
14 jail with high blood pressure, I'm not going to say,
15 "Oh, I'm going to withdraw them off of their blood
16 pressure medicine quickly and call that treatment."
17 That's not treatment.

18 Q Generally speaking, with respect to
19 tapering, would a slow taper, as opposed to a more
20 rapid taper, result in less withdrawal symptoms?

21 A Yes.

22 Q Is there any literature or standard practice
23 in the medical industry regarding what is an
24 appropriate rate of taper?

1 A Yes.

2 Q So what is your understanding of exactly
3 what authority SAMHSA has over OTPs?

4 A I mean they are the licensing agent --
5 agency for the federal government, and they provide
6 the guidelines on how the programs run. But also the
7 -- the DEA is involved, also, when it comes to the
8 security of the medication, and also order the
9 medication.

10 Q So a correctional facility that operates an
11 OTP will have certification from SAMHSA.

12 A Yes. I mean, all OTPs do, so it's not just
13 the correctional. We all have to have certification
14 from SAMHSA..

15 Q And then one in the correctional setting
16 will also have to have accreditation by the NCCHC?

17 A No, all OTPs have to have an accreditation
18 by an accrediting agency, which is your choice. So
19 NCCHC is one of the accrediting agencies, but it could
20 also be CARF. It could be JCO, you know, so that's
21 your choice, depending on which one you want to go
22 through.

23 Q So you can petition to be accredited by the
24 NCCHC as a correctional facility?

Page 60

1 treatment, I don't see where they asked them to choose
2 if they wanted to be maintained or to be detoxed on
3 the program.

4 Q I guess I'm having trouble following exactly
5 --

6 A Okay. If you are looking at the whole
7 document, right, the patients can choose whether they
8 want to be medically detoxed or whether they want to
9 be maintained on methadone, and that's where I see the
10 probably lying there.

11 The guidelines -- there is nothing in this
12 guidelines that says that Cermak should medically
13 withdraw all the patients who are admitted there on
14 methadone. I don't see any guidelines saying that
15 Cermak should medically withdraw the patients when
16 they come into the jail.

17 Q And are you aware that Cermak's OTP program
18 was certified by SAMHSA during the class period?

19 A Yes.

20 Q Are you aware that, as part of their
21 certification, they had to submit their OTP policies
22 to SAMHSA?

23 A Yes.

24 Q And is your understanding that SAMHSA

Page 61

1 approved those OTP policies for Cook County Jail?

2 A Yes.

3 Q And going back, looking at these, and direct
4 me to scroll up or scroll down at any point to help
5 provide your answer, but where does it say in these
6 policies that the patient is able to choose which
7 treatment they receive?

8 A I mean, it says on line -- if you look at --
9 after -- under the "1 year." "In addition, a program
10 physician shall ensure that each patient voluntarily
11 chooses maintenance treatment." You know, so they're
12 choosing that they want -- they can choose to start on
13 maintenance, but if you go to the detox, I don't see
14 anything that tells the -- that says that the patient
15 should be medically forced to withdraw from methadone.
16 So we can go back to the detox place where you were
17 showing me before.

18 So it also says that, "A program shall not
19 admit a patient for more than two detoxification
20 treatment episodes in one year." Again, the
21 guidelines are not saying that the patient has no say
22 so in what gets done to them. The patients have a say
23 so.

24 Q Okay. But you would agree with me that

Page 64

1 NCCHC for accreditation?

2 A I believe they did, but again, I don't
3 recall. I know they had to be accredited, so if NCCHC
4 is who they used for accreditation, I'm sure they
5 submitted the policies to them.

6 Q And that would include Cook County Jail's
7 tapering policy?

8 A Yes.

9 Q Turning to the sixth page of the document,
10 which is page 61 of the NCCHC guidelines, are you
11 familiar with these specific guidelines on this page
12 with respect to OTPs at correctional facilities?

13 A Yes. I mean, they don't differ too much
14 from the other guidelines.

15 Q They don't differ too much from what?

16 A From the one we looked at earlier. They are
17 just adapted from the same SAMHSA guidelines.

18 Q And pursuant to these guidelines, is it your
19 understanding that Cermak's OTP had to verify the dose
20 amount and the last date for methadone that was
21 prescribed to them prior to them entering Cook County
22 Jail?

23 A Yes. I mean, all OTPs have to do that. You
24 have patients coming from other OTPs, so that's just

1 standard practice.

2 Q And what's your understanding of why you
3 need to confirm the level of dosage and the date of
4 last dosage prior to administering methadone at an OTP
5 facility?

6 A I mean, again, that's something you have to
7 do at all OTPs. It's not restricted just to jails.
8 But the reason we do that is to make sure that you
9 don't overdose a patient. Methadone, I mean, it's a
10 very good drug. It works very well, but in the wrong
11 hands, it can be misused, and people can overdose from
12 it. So a lot of people overdose while you're inducing
13 them on methadone, when you first start it. So that's
14 why it's highly regulated.

15 So, I mean, I have to verify with other OTPs
16 when I have a new patient coming in, because if they
17 haven't taken the -- if someone, for example, hasn't
18 taken methadone or any medication in a week, and you
19 give them the same high dose they were on, the risk of
20 overdose is pretty high. You know, but if you verify
21 the dose, and they are still on it, then they have
22 more tolerance to medication.

23 Q So administering their first dose in an OTP,
24 you have to obtain that information from another

Page 66

1 organization?

2 A Yes, if they are currently in treatment
3 somewhere else.

4 Q So you're reliant on them communicating back
5 to you before you can even administer a methadone
6 dose?

7 A Yes, and the same goes for when a patient is
8 admitted to the hospital. The hospital has to call
9 the OTP to verify the dose.

10 Q Turning to page 7 of the document, paragraph
11 3. Okay, paragraph 4. So it says -- this involves
12 the guidelines for, "Patients leaving the program
13 voluntarily, with or against medical advice, must
14 follow a therapeutic protocol appropriate to their
15 situation." And so is this policy governing medically
16 supervised withdrawal?

17 A I mean, it's just a guideline. It doesn't
18 say anything about how to reduce the dose and stuff
19 like that. I mean, I don't have any problem with what
20 the document says.

21 Q Okay. And so paragraph 3, that's what I
22 meant to reference. It says, "The facility has a
23 policy that addresses the management of inmates,
24 including pregnant inmates, on methadone or similar

Page 67

1 substances. Inmates entering the facility on such
2 substances have their therapy continued, or a plan for
3 appropriate treatment of the withdrawal syndrome is
4 initiated."

5 Is that accurate?

6 A Yes.

7 Q So under NCCHC guidelines, they provide for
8 policies where an inmate undergoes medically
9 supervised withdrawal.

10 A Yes.

11 Q And is it your understanding that Cermak OTP
12 had a policy in place regarding withdrawal treatment
13 of methadone during the class period?

14 A Yeah, they did have a withdrawal plan in
15 place.

16 Q And is this the policy with respect to
17 tapering?

18 A Yes.

19 Q And is this the policy that you're
20 criticizing here today, as an expert?

21 A No. Again, what I'm criticizing as an
22 expert is that the patients do not have a -- I mean,
23 is at Cermak -- we can go back to the document that
24 you showed me. There were some other paragraphs that

Page 75

1 A Well, looking at the purpose there, it's to
2 detox the inmates who were already in an OTP, and also
3 to maintain pregnant women who are opioid dependent.

4 Q Okay. So maintain pregnant detainees on
5 their methadone treatment?

6 A Well, it didn't say if they were -- for
7 pregnant women, it's not saying whether they are on
8 methadone or not. It's just saying if they are
9 dependent on opioids, so it could be someone who is on
10 heroin, or oxycodone, or anything.

11 Q Okay. But the policy was to maintain them
12 on a treatment medication for their opioid use
13 disorder for pregnant detainees?

14 A Yes.

15 Q And what was your understanding for the
16 treatment of non-pregnant detainees that had opioid
17 use disorder at Cook County Jail?

18 A It's basically that they will be detoxed.

19 Q When you say "detoxed," does that mean the
20 policy directed them to basically go cold turkey?

21 A No. It's just saying that we are not going
22 to continue your medication. We are going to take you
23 off medication, and, you know, no, it doesn't specify
24 how it's going to be done or that it's going to be

Page 76

1 cold turkey. But, I think, further down, it says in
2 the document, how it's going to be done.

3 Q Okay. We'll come back to that in a second.
4 Looking at your expert report, under page 3, opinions
5 about Cermak Health Services policy, and again, at the
6 top here, it's referring to the G-06.1.

7 A Yeah.

8 Q And it says, "For pregnant patients,
9 prescribed a constant daily dose; for other patients,
10 prescribe a linear taper to zero, with daily doses
11 decreasing at an integer rate proportional to the
12 initial dose."

13 Do you see that?

14 A Yes.

15 Q And again, this is differentiating between
16 pregnant patients and non-pregnant patients.

17 A That's correct.

18 Q All other things being considered, do
19 pregnant present the same risks with respect to
20 methadone treatment as non-pregnant patients?

21 A I mean, I guess the only extra risk is the
22 risk to the unborn fetus, but as far as, if you're
23 looking at the pregnant woman, they have the same
24 withdrawal risks that non-pregnant patients would

Page 77

1 have, but you have an added risk to the fetus, to the
2 baby that they are carrying in the womb.

3 Q So would tapering a pregnant patient would
4 involve risk to the unborn child?

5 A Yes.

6 Q And that's not a risk that's present in
7 non-pregnant patients.

8 A That's correct.

9 Q It goes on, in the third paragraph, "Waiting
10 for three days to restart or continue methadone for a
11 patient who is maintained on methadone can destabilize
12 the patient and put him/her at risk for withdrawal and
13 adverse consequences."

14 A Yes.

15 Q And then it goes on to say that, "Standard
16 of care is to verify the patient's methadone dose and
17 continue treatment as soon as possible to avoid delay
18 in the patient's treatment."

19 Do you see that?

20 A Yes.

21 Q Now, is it your opinion that Cermak's OTP
22 policies did not seek to verify a dose and continue
23 treatment as soon as possible?

24 A Quite -- I think some of the statements that

Page 85

1 A Well, it says you're decreasing by a rate
2 proportional to the initial dosage. It then says
3 decreasing no more than 7 milligrams each day, 10 to
4 21.

5 Q Okay. So it --

6 A Okay. So it gives you an example of how to
7 do it, so it says if someone is on 40 milligrams, just
8 go down by 2 milligrams every day, so that by 21 days,
9 they will be off. So that is a standing order.

10 Q Okay. And the standing order has an upper
11 amount of taper that you are not to pursue; correct?

12 A Right.

13 Q Does the policy state there is a lower
14 dosage of taper that you are not to pursue?

15 A I don't recall if I saw it or not. But I
16 also don't see anywhere where -- you know, again, you
17 don't know -- you don't reassess the patient on a
18 regular basis to see how they're feeling and whether
19 you need to adjust that taper.

20 Q Okay. Going to page 6. We'll go up. So
21 going to page 6, so the standing order --

22 MR. FLAXMAN: Page 6 of the standing
23 order, or page 6 of the exhibit?

24 MR. MORRIS: Page 6 of the standing

1 set schedule.

2 Q Do you know how a detainee at Cook County
3 Jail is able to access a physician?

4 A I don't recall if -- I don't -- I think they
5 have to put in a request from the depositions, but I'm
6 not sure how quickly the request gets sent over.

7 Q Okay. So it is your understanding, though,
8 that detainees can seek an appointment with a
9 physician at Cook County Jail?

10 A Yes. They can -- I know they can request an
11 appointment.

12 Q Going to page 7 of Exhibit 5, page 6 of the
13 standing order. Looking at the top, under paragraph
14 3, it says, "The program medical director will request
15 a waiver from CSAT for these special cases: Number 1"
16 -- sorry. "Request a waiver from CSAT in these
17 special cases," and then in subparagraph 2, it says,
18 "Maintenance rather than detoxification, for a reason
19 other than pregnancy." Do you see that?

20 A Yes.

21 Q So would you agree with me that this policy
22 provides for a detainee, on an individual basis, to
23 receive maintenance treatment rather than
24 detoxification?

Page 88

1 A Yes.

2 Q So there was nothing in this policy that
3 prevented a physician from seeking a waiver to provide
4 maintenance therapy for a detainee, based on their
5 individual assessment.

6 A Yes, but I don't believe that I saw -- that
7 I read in the deposition that that was done for any of
8 the patients.

9 Q And what deposition was that?

10 A In Dr. Richardson's that I reviewed.

11 Q Okay. Going back to Exhibit 4, your expert
12 report, page 4 of the document, at the top here, it
13 says, "The delay in providing methadone as well as the
14 practice of tapering patients off methadone without
15 clinical justification contributed to the pain and
16 suffering experienced by James Hill, Wanda Hollins,
17 Keith Rogers, and other persons who were treated based
18 on policy G-06.1, while they were incarcerated at Cook
19 County Jail."

20 Do you see that?

21 A Yes.

22 Q And that's an accurate reading of your
23 expert opinion in this case?

24 A Yes.

Page 90

1 Q Do you know if any of the named plaintiffs
2 in this case were taken off of their medication while
3 at Cook County Jail?

4 A I know they were tapered.

5 Q Do you know if any were tapered to zero?

6 A I don't recollect if they were that low to
7 be taken to zero.

8 Q And is it your opinion that they suffered
9 because they suffered withdrawal symptoms while being
10 tapered at Cook County Jail?

11 A Yes.

12 Q What are the symptoms of methadone
13 withdrawal?

14 A They are the same symptoms of any op
15 withdrawal. People get anxious. They get, like,
16 rapid heartrate. They have sweating, abdominal pain.
17 They are dysphoric, meaning that their mood may be
18 more like a depressed mood. They have joint pain.
19 They can have dehydration from vomiting and diarrhea.

20 Q Is withdrawal from methadone the same as
21 withdrawal from heroin, with respect to symptoms?

22 A They are similar, yes. They are opioid
23 withdrawal.

24 Q Are you aware of whether any of the named

Page 91

1 plaintiffs were also taking heroin prior to entering
2 Cook County Jail?

3 A I believe that there were some of them who
4 were still using heroin when they came to the jail.

5 Q When stopping methadone, as compared to
6 stopping heroin, do withdrawal symptoms arise in a
7 similar timeframe?

8 A No. The heroin withdrawal symptoms happen
9 quicker, because the half-life of heroin is shorter.

10 Q So how would you know whether or not one of
11 the named plaintiffs was withdrawing from a lack of
12 methadone, as opposed to heroin?

13 A I mean, it would be hard to tell, you know,
14 but again, the methadone is longer acting. So with
15 the doses they were on, the withdrawal from heroin
16 shouldn't be that severe.

17 Q With the dose of what the --

18 A The dose of methadone that they were taking.

19 Q So because they came in taking a high dose
20 of methadone, they should not have been suffering
21 withdrawal symptoms?

22 A It would not have been as severe, as if they
23 were just taken off heroin by itself.

24 Q Would it be fair to say that you would

Page 92

1 suffer withdrawal symptoms from a lack of heroin
2 before you would from not obtaining methadone?

3 A Yes.

4 Q How soon do withdrawal symptoms usually set
5 in from methadone?

6 A Usually about 24 to 36 hours.

7 Q And what about with respect to heroin?

8 A Within four to six hours.

9 Q And the rate at which those withdrawal
10 symptoms set in since your last dose depends, in part,
11 on how high your dose is; correct?

12 A Yeah, but again, it depends on the
13 individual. So, I mean, because the way the body
14 processes the medication, I mean, you can have an
15 idea, but each individual is different. You know, you
16 don't know what medications they've been taking, what
17 other psychological issues may be going on. So it's
18 just difficult to pinpoint a time or rate.

19 Q But generally speaking, if you're on a
20 higher methadone dose, and no longer take it, in most
21 patients, it'll take longer to experience withdrawal
22 than if you're on a lower dose.

23 A Not really. Because again, the -- based on
24 the pharmacokinetics, like, the half-life of

1 facility?

2 A Yes.

3 Q What other -- okay. We covered that.

4 Similar to last question, in your experience, is there
5 any limitation on provided medically assisted
6 treatment in jail that do not exist at a
7 community-based OTP program?

8 A I mean, I think the biggest thing is the
9 stigma that's associated with it, and also, you have
10 to worry about diversion in a jail, which may make it
11 more difficult. You know, there are staffing issues,
12 so yeah, and also with the security. So there are
13 more things that you have to worry about when
14 providing medication assisted treatment in a jail.

15 Q And what would one of those be a security
16 concern?

17 A That, again, the patients may divert the
18 medication, you know, they cheek it and go give it to
19 other inmates who are not prescribed the medication,
20 which can lead to an overdose. I've worked in jails.

21 Many times, you have to wait for security to
22 bring the patient to a designated area so you can
23 administer the medication, you know, so you have to
24 have security with you. It's a controlled drug, so

Page 98

1 you have to have the medicine in a secure area.

2 Q Putting security aside, on sort of the
3 individual patient level, one of the concerns in
4 maintaining a patient who is in a correctional OTP
5 program is that they may be transferred to another
6 facility that doesn't have an OTP program.

7 A That's correct.

8 Q And the concern is that they would
9 essentially be forced into a situation where they were
10 quitting cold turkey.

11 A And that's correct. And I guess that's the
12 reason why you have to coordinate the care to see, you
13 know, how long they might be at the jail, and, you
14 know, talk to the patient, and discuss the options
15 with them. You know, and also see where they might be
16 transferred to, if they are other charges, or if they
17 are going to prison, so that you have enough time to
18 taper them off, if you need to taper them off.

19 Q Looking back at Exhibit 4 here, there's a
20 sentence that states, "Criminal justice DrugFacts show
21 that starting treatment for OUD while patients are
22 incarcerated reduces the risk of fatal and non-fatal
23 overdose after release."

24 Do you see that?

Page 101

1 people with opioid use disorder in jail and prison
2 settings receive medication treatment."

3 Do you see that?

4 A Yes.

5 Q So would you agree with me that at the time
6 of this article, detainees at Cook County Jail were
7 approximately one of the five percent of people who
8 were receiving medically assisted treatment for OUD?

9 A I mean, I would consider detoxing someone
10 the same thing as treatment, you know? So if I'm
11 looking at treatment, I'm looking at maintenance
12 treatment. Yeah, there is still some kind of
13 treatment. I don't think it's the proper treatment.

14 Q Do you know if this study differentiates
15 between those that receive a taper versus those that
16 receive no medication?

17 A No, I don't. Because, like, this article
18 that you're sending, it also goes further down to say
19 what effective treatment is, so that's what I would, I
20 guess, assume that they are referring to as treatment,
21 which is in addition to getting medicine, getting good
22 counseling.

23 Q Going back to -- also, Doctor, anytime you
24 need a break, just let me know; we can take one.

Page 102

1 Otherwise, I'm good to keep going. It's up to you,
2 though.

3 A I don't care.

4 Q Okay. Going back to Exhibit 4, the expert
5 report, under section 4, titled Other Opinions, do you
6 see that?

7 A Yes.

8 Q It says, "Opioid use disorder is best
9 treated by a stable maintenance dose of methadone (or
10 similar medications), or by tapering medication over
11 time with the goal of taking the patient off
12 medication entirely."

13 Do you see that?

14 A Yes.

15 Q So you would agree with me that treating OUD
16 with tapering is medically accepted treatment option
17 for patients with OUD?

18 A If that is a decision that's made by the
19 patient and the provider, if that's what the patient
20 wants.

21 Q Skipping to opinion 3, it says, "Tapering"
22 -- and this is page 5 of the expert report. "Tapering
23 results in painful and difficult symptoms, such as
24 'anxiety, chills, muscle pain (myalgia) and weakness,

Page 103

1 tremor, lethargy, and drowsiness, restlessness and
2 irritability, nausea and vomiting and diarrhea. '"

3 Do you see that?

4 A Yes.

5 Q And that is your understanding of symptoms
6 that are associated with withdrawal?

7 A Some of the symptoms, yes. And again, I
8 mentioned that dysphoria is something that happens. I
9 mean, I've seen reports of patients committing while
10 they're withdrawing. The suicide rate is higher when
11 patients are withdrawing from opioids.

12 Q Does every patient who is tapered off of
13 methadone suffer withdrawal symptoms?

14 A No.

15 Q In your experience, what patients are less
16 likely to suffer withdrawal while they're being
17 tapered off methadone than those that are?

18 A I mean, it depends on how -- on the dose
19 that they're on. It also depends on how slowly the
20 taper is done, and also depends on what the patient's
21 tolerance is and what their situation may be.

22 Q Do you have an understanding of what the
23 rate of tapered patients at Cook County Jail underwent
24 during the class period?

1 for patients in treatment for less than three months.
2 For patients on methadone, 12 months is considered the
3 minimum duration of treatment to reduce relapse."
4 That opinion you rendered here, are you aware if that
5 was the standard prior to 2018?

6 A Yeah, I'm pretty sure, because, like I said,
7 I got my master's degree in 2015, and I was using that
8 reference then, and even before then. So I'm pretty
9 sure that that was the standard before 2018.

10 Q Do you know when it first became a standard?

11 A I don't know, but again, like I said, I've
12 been using this reference for quite a few years,
13 because I've done presentations and talks using it
14 before 2018.

15 Q Now, when you were working for Wellpath, you
16 were not able to treat patients in compliance with
17 this standard. Is that correct?

18 A Sometimes, I was not, because of the
19 restrictions there, but it's not for not trying,
20 because again, I knew what the right thing to do was,
21 and I had the qualifications, the training, and the
22 license to do that.

23 So I was not going to do something that was
24 not the standard of care. I knew that the potential

Page 109

1 of me getting sued was much higher than those who had
2 no idea or did not have the qualifications that I
3 have.

4 Q And there were physicians at that facility
5 that could not provide some of that treatment, though;
6 correct?

7 A Yes.

8 Q So those facilities you oversaw were
9 violating the standard of care at the time you worked
10 there, with respect to --

11 A I -- I wouldn't say that, because again, I
12 was the one who was taking calls; I was supervising
13 those sites, so I just ended up being overworked,
14 because I was the one being called who had to take
15 care of all those issues.

16 Q But many of the facilities did not have an
17 OTP program; correct?

18 A Right, but, when possible, we tried to use
19 buprenorphine.

20 Q All right. Going back to Exhibit 6 and this
21 article that's referenced, and specifically on page
22 12, it states under, "How long does drug addiction
23 treatment usually last," it says, "Individuals
24 progress through drug addiction treatment at various

1 A Yes.

2 Q And when did this standard of care become
3 the medical consensus?

4 A I can't tell you exactly when, but I can
5 tell you at least as far back as 2007, when I started
6 my methadone program. That's the scientific thinking,
7 where I think it was even before that. I mean,
8 there's a lot of resistance in jails and prisons to
9 following that standard of care. So I know that's
10 something that I know people in the field of addiction
11 have been fighting for over the past few years.

12 Q So is it your understanding, then, that as
13 far back as 2007, any correctional facility who was
14 not offering methadone maintenance treatment,
15 specifically, was violating a standard of care?

16 A Of general medical practice, yes, but again,
17 I mean, it's been a hard road trying to convince
18 correctional facilities that this is the right thing
19 to do for the patient. And now, recently, more of
20 them are starting to realize that, you know, that this
21 saves lives, and it's the right thing to do. But it
22 hasn't always been that way, so I know in correct --
23 because I've been working from the inside out, so I
24 know what kind of struggles are to fight with the

Page 124

1 probation officers, with the jail authorities, trying
2 to make sue that this is the standard of care.

3 Q Would it be the standard of care to treat a
4 detainee with methadone maintenance who was sentenced
5 to state prison that did not have an OTP program?

6 A I mean, the other thing I can say to do is
7 that they'll need to -- if the jail has an OTP
8 program, then they'll need to withdraw the patient
9 before they go to prison, to a state facility.

10 Q And that would apply to them being
11 transferred to any facility that did not have an OTP
12 program?

13 A That's correct. I mean, to lessen the
14 withdrawal that they will have, instead of having them
15 quit abruptly.

16 Q Do you know how many detainees at Cook
17 County Jail are released to another correctional
18 facility that does not have an OTP program?

19 A I don't. I did not have access to those
20 kind of records.

21 Q Do you know of how many county or state
22 correctional facilities in Illinois have an OTP
23 program, other than Cook County?

24 A I don't believe there is any, and like I

1 could provide the treatment to patients.

2 Q Do you have an opinion as to how the medical
3 team at Cermak would have been able to determine which
4 inmates would be released in the community, versus
5 those conducted and sent to IDOC?

6 A I mean, it's a -- you know when it comes to
7 substance abuse treatment, it's more of a
8 collaborative team. You have the physician. You have
9 the nurse. You have the social workers, and then it
10 has to involve the drug system -- I mean the court
11 system, also, the attorneys. So, for example, some
12 patients have been considered for drug court, you
13 know, for their alternate treatment. So that's about
14 the only way they can determine that, is to work
15 collaboratively, or see, based on their experience,
16 what the patient's charges are, and what's typical for
17 those kind of -- what's typical for the sentence that
18 those kind of charges carry.

19 Q Would you agree with me that it requires a
20 lot of resources to make those sort of analyses,
21 determining which detainees are going to be sentenced
22 to prison time, and which ones will not?

23 A I mean, I'll say it requires resources. I
24 won't say -- I mean, it requires significant -- I

1 won't say a lot, but again that's just part of the
2 territory.

3 Q So between 2017 and 2020, you oversaw a
4 correctional system that did not have OTP programs;
5 correct?

6 A That's correct.

7 Q And you mentioned that it was difficult to
8 get them to do so.

9 A Yes.

10 Q To have an OTP program.

11 A Yes.

12 Q And what were the difficulties in a
13 correctional facility to start an OTP program?

14 A So some of the jails, again, they were small
15 jails, they did not have the personnel. They did not
16 have the resources. They didn't have the money that
17 it would take to be able to get accredited, and, you
18 know, to put the nurses, to hire a physician who was
19 knowledgeable in addiction medicine.

20 They did not have all those resources in
21 place, and that's why I will suggest that
22 buprenorphine was probably easier for them to maintain
23 at those sites, and also, to collaborate with OTPs in
24 the local communities to get the treatment going. You

1 know, so those were some of the workarounds that I
2 thought about having to make.

3 Q Are you aware that Cermak began doing
4 analysis of determining which detainees were likely to
5 be convicted and sent to an IDOC facility without an
6 OTP program, versus those that were likely to be
7 released only after they were awarded a grant?

8 A I don't know any of those specifics.

9 Q So during the class period, which, again,
10 started in 2013, beginning in 2013, were you aware of
11 how many jails in the country provided some sort of
12 methadone treatment, whether it be tapering or
13 methadone maintenance programs?

14 A I don't have a specific number.

15 Q Was it the majority of them had them, or
16 less than a majority?

17 A I mean, it's way less than the minority,
18 because only five percent of correction facilities
19 provided some kind of treatment. So we know it was
20 less, but I know the numbers have been going up since
21 then, as people are starting to realize that it's
22 probably more economical and safer for the patients
23 and for the community as a whole to provide treatment,
24 instead of the stigma and the lock them up and throw

Page 129

1 away the keys approach that society has had to
2 addiction treatment in the past.

3 Q. Would you agree with me that, during the
4 class period, Cook County was providing objectively
5 more medically assisted treatment for OUD than the
6 vast majority of jails in the country?

7 A. Well, if you consider that detox as MAT, you
8 know. So they are providing detox, but I don't think
9 they were providing a lot of maintenance treatment.
10 But I guess you are comparing it to other jails;
11 right?

12 Q. Yeah, and I guess my question would be: Is
13 having no methadone versus having a program in place
14 where they're tapered off methadone the same?

15 A. No, it's not. I mean, apparently, tapering
16 people off methadone is better for the patient than no
17 methadone.

18 Q. And during at least a part of the class
19 period, you were working in a facility that did not
20 even offer any methadone tapering treatment.

21 A. That's correct.

22 Q. So during this class period, Cook County
23 Jail was providing more methadone treatment to inmates
24 than those facilities that you oversaw.

Page 130

1 A That's correct.

2 Q Okay. I don't have much more. Just going
3 to go over a couple of the articles you referenced.

4 A Okay.

5 Q Just so I can verify, in your expert report,
6 one of your references is -- hold on, sorry. Yeah.
7 So Principles of Drug Abuse Treatment for Criminal
8 Justice Populations, in NIDA, April 2014.

9 A Yes.

10 Q And that appears to be reference number 2 in
11 your report. Is that accurate?

12 A Yes.

13 Q And this article that you reference, this is
14 an authoritative article or publication?

15 A It's from the federal government, yes.

16 Q And it was published in April 2014; correct?

17 A Yes..

18 Q Does that mean it was based on the medical
19 community's understanding prior to 2014? Do you want
20 me to rephrase that one?

21 A You froze.

22 Q Oh, sorry. It was a bad question. I'll
23 strike that question anyway. On page 3 of this
24 document, it says, under 3, "Treatment must last long

Page 137

1 maintenance treatment, based on an individual
2 assessment?

3 A Yes, but I guess the question I have is:
4 did they seek the waiver for that, or how often was
5 that done? I didn't see any info in the documents I
6 reviewed to show that was being done.

7 Q And on number 4, the NCCHC, in 2018,
8 prescribed guidelines for medically managed withdrawal
9 treatment. Do you see that?

10 A Yes.

11 Q So in 2018, under the NCCHC guidelines, it
12 was medically acceptable to manage withdrawal
13 treatment without providing any maintenance?

14 A It says that, but it's also determined that
15 it's appropriate for a specific patient. So again,
16 it's individualizing the treatment. It's not just a
17 blanket order for everyone.

18 MR. MORRIS: Okay. I'm just going to
19 go through my notes. I think I might be done or have
20 just a few follow-up questions. Can we take seven
21 minutes again?

22 MR. FLAXMAN: Let's take eight minutes.

23 MR. MORRIS: How about nine?

24 MR. FLAXMAN: You got it. You got it.

Page 138

1 MR. MORRIS: Okay.

2 THE REPORTER: Okay. We are off the
3 record at 1:15 p.m.

4 (Off the record.)

5 THE REPORTER: Okay. We are back on
6 the record at 1:25.

7 BY MR. MORRIS:

8 Q Okay, Dr. Fatoki, just one or two more
9 questions, and then I will pass it. So your opinion
10 that you expressed in this case is that Cermak's OTP
11 policies violated the standard of care, with respect
12 to treatment of opioid use disorder; correct?

13 A Yes. As far as maintaining patients who
14 needed the treatment.

15 Q And --

16 A And -- sorry. And not conferring with the
17 patient, as far as whether they wanted to continue
18 treatment or not.

19 Q And more specifically, with respect to the
20 policy requiring detainees to undergo a taper of
21 methadone.

22 A Yes.

23 Q And you also testified today, though, that,
24 to your knowledge, Cook County was one of the few

Page 139

1 facilities during the class period, nationwide,
2 offering some form of methadone treatment to
3 detainees; correct?

4 A Yes.

5 Q Based on your understanding, and in your
6 experience, you've worked in correctional facilities
7 during the class period; correct?

8 A Yes.

9 Q Based on your knowledge of OTP programs
10 within correctional facilities, can you state any
11 facilities that, in your mind, abided by the standard
12 of care, with respect to their OTP program?

13 A During that period of time, I don't -- I
14 can't tell you that I know off the top of my head any
15 program any program that was proving maintenance
16 treatment.

17 MR. MORRIS: Okay. That's all I have.

18 MR. FLAXMAN: Mr. Catania?

19 MR. CATANIA: Yes, sir. Thank you,
20 very much.

21 EXAMINATION

22 BY MR. CATANIA:

23 Q Dr. Fatoki, my name is Frank Catania. I
24 represent the Sheriff of Cook County in this matter.

1 Q And is that done in hour-long sessions?

2 A It depends on the -- on the patient's
3 individual circumstances.

4 Q So it could be longer than an hour per
5 session?

6 A Yes, and it could be shorter, also,
7 depending on how stable the patient is.

8 Q It's a requirement to run an OTP program to
9 provide counseling. Isn't that right?

10 A Yes.

11 Q So it's not enough just to provide
12 medication. Is that right?

13 A That's correct. Medication alone by itself
14 is not enough treatment.

15 Q Do you know what kind of counseling programs
16 are available to people that are accepted to the OTP
17 at Cermak?

18 A No, I don't.

19 Q You mentioned a few times, actually, twice,
20 I believe, when you were talking about the withdrawal
21 from methadone, you used the word "dysphoria" a couple
22 of times.

23 A Yes.

24 Q What does that mean?

Page 164

1 Q Forcing a detainee to -- providing him with
2 no treatment is also -- well, let me just step back.
3 You used the term -- was it forced withdrawal?

4 A Yes.

5 Q Okay. But there's a difference between
6 forced withdrawal where you're not giving them any
7 medication, versus those situations where you're
8 tapering them.

9 A Yes.

10 Q And one is considered, medically, more
11 appropriate than the other.

12 A I think it's more humane. That's what I'll
13 say.

14 Q Okay. And is there any literature or study
15 that you're aware of during the class period that does
16 not considering tapering a form of treatment for
17 opioid use disorder?

18 A I mean, in general terms, the way you're
19 asking it, I guess it's a form of treatment, in
20 general terms.

21 Q Okay. And Counsel was just asking you about
22 your knowledge of the plaintiffs' specific treatment
23 in this case. Do you recall that?

24 A About what? I'm sorry.