

EXHIBIT D

<p>1 IN THE UNITED STATES DISTRICT COURT 2 NORTHERN DISTRICT OF ILLINOIS 3 EASTERN DIVISION 4 JOHNNY JONES,) 5 Plaintiff,) 6 vs.) Case No. 17 CV 8218 7 WEXFORD HEALTH SOURCES,) 8 INC. and DR. MARSHALL) 9 JAMES,) 10 Defendants.) 11 12 The deposition of DR. ANKHUR BEHL, called 13 for examination pursuant to the Rules of Civil 14 Procedure for the United States District Courts 15 pertaining to the taking of depositions, taken 16 before CHRISTINE M. PINA, a Certified Shorthand 17 Reporter within and for the County of Cook and 18 State of Illinois, at 1310 N. Main Street, 19 Sandwich, Illinois, on July 12, 2019 at the hour of 20 2:25 o'clock p.m. 21 22 23 Reported by: CHRISTINE M. PINA, CSR, RPR 24 License No.: 084-003785</p>	<p>1 I N D E X 2 3 4 WITNESS EXAMINATION 5 6 DR. ANKHUR BEHL 7 By Ms. Byrd 5 8 By Mr. Flaxman 42 9 Further By Ms. Byrd 44 10 11 12 13 14 15 E X H I B I T S 16 17 NUMBER MARKED FOR ID 18 19 20 NO EXHIBITS MARKED BY REPORTER 21 22 23 24</p>
	<p>1 2 3 4 5 6 7 8 9 10 11 12 13 14 15 16 17 18 19 20 21 22 23 24</p>



<p>1 THE WITNESS: Yes. 2 DR. ANKHUR BEHL, 3 having been first duly sworn, was examined and 4 testified as follows: 5 EXAMINATION 6 BY MS. BYRD: 7 Q. What is your education, Doctor? 8 A. So, I did my undergraduate at the 9 University of Oklahoma, did my medical school at 10 the University of Oklahoma. I trained in 11 orthopedic surgery, five years in Fort Worth at 12 Fort Worth Affiliated Hospitals. I did a year of 13 fellowship in sports medicine in Indiana 14 University, I'm sports medicine trained, and I've 15 been in practice here for the last -- this is going 16 to be my sixth year. 17 Q. When you were -- I went to IU, so I have 18 to ask IU questions. Were you in Bloomington or 19 Indianapolis? 20 A. Indianapolis, yes. 21 Q. Your practice here is all orthopaedics? 22 A. It's orthopedic, yes. So, I have a 23 general practice that's probably more catered 24 towards sports medicine which is arthroscopies,</p>	<p>1 Q. How familiar are you with the prison 2 system and the medical care within the prison 3 system? 4 A. So, you know, I would say I've gotten 5 different experiences from different patients. It 6 seems that the last few patients have more access 7 to physical therapy on-site. You know, I know that 8 there is a process for -- because most of the time 9 the patients they send me are acute injuries, and 10 so a lot of those patients do need surgical 11 intervention. And so the process for getting that 12 surgery approved seems to be more streamline, but, 13 again, you know, I assume that they go through a 14 general practice doctor there who decides that they 15 need more subspecialty care and that's how I get 16 the referrals. 17 Q. In your experience with that, you said it 18 seems like things are more streamlined now. Are 19 you getting that information from your 20 conversations with the inmates or in your 21 experience with dealing with getting the surgeries 22 scheduled, et cetera? 23 A. In my experience with getting the surgery 24 scheduled.</p>
<p>5</p> <p>1 sports-related injuries, ligaments, tendons, you 2 know, those are kind of my primary. The other 50 3 percent which is, again, more tailored towards 4 sports. But I do a lot of general practice stuff 5 as well, so total knees, fractures including 6 anywhere from your bread-butter wrist-forearm, 7 femur fractures, tibia fractures, ankle fractures. 8 Q. The case that we're here, Mr. Jones, he 9 was an inmate at Sheridan? 10 A. Yes. 11 Q. How many inmates do you deal with here? 12 Do you get a lot of inmates from -- 13 A. I do. I get inmates from Wexford, I get 14 inmates from Stateville, so both. I've probably 15 operated on, what's a good estimate here, I would 16 say maybe 15 inmates. I've probably seen 10 more 17 than that, so maybe 25 inmates I would say from 18 both facilities. 19 Q. That's over the last five or -- 20 A. Five years, yes. 21 Q. The inmates that you treat when they come 22 to your practice, they come obviously with guards, 23 correct? 24 A. Yes.</p>	<p>7</p> <p>1 Q. So, you're not basing that opinion off of 2 what you're told by the inmates? 3 A. I am not. 4 Q. The surgery we're dealing with here was a 5 patella rupture, right? 6 A. Yes. 7 Q. How many of those surgeries have you done 8 on inmates? 9 A. On inmates? Probably not very many. I 10 would say less than five, yes. 11 Q. The ones that you have done on inmates, 12 how quickly after the injury have you seen the 13 inmate? 14 A. Typically within -- like any of those -- I 15 mean not only inmates, but I guess typically with 16 these injuries, I see them in the next -- in a few 17 weeks, within a few weeks due to the fact that the 18 patellar tendon is -- when you rupture your 19 patellar tendon or you rupture your quad tendon, 20 anywhere in your extensor mechanism that you 21 rupture, you can't really walk with it very well 22 because you have to be able to extend your knee to 23 ambulate. And so most of the time patients are 24 coming in quite early in the process just because</p>



<p>1 they can't walk.</p> <p>2 Q. Is that always the case with a ruptured</p> <p>3 patellar tendon that you can't walk?</p> <p>4 A. You can't walk well without a brace. That</p> <p>5 is always, yes. I mean there's -- without a</p> <p>6 patellar tendon, you can't extend your knee or</p> <p>7 without a -- well, without an intact patellar</p> <p>8 tendon, you can't extend your knee. And so if you</p> <p>9 can't extend your knee, you're going to be</p> <p>10 unstable. With a brace, you could get away with</p> <p>11 probably walking without a patellar tendon -- an</p> <p>12 intact patellar tendon. You're always going to</p> <p>13 have a patellar tendon, but an intact patellar</p> <p>14 tendon.</p> <p>15 Q. So, someone who ruptures their patellar</p> <p>16 tendon, tell me exactly what that means. Does it</p> <p>17 detach, what does that mean?</p> <p>18 A. Sure. So, the whole extensor mechanism --</p> <p>19 so, the ability for you to straighten your knee</p> <p>20 which is the crucial component to walking, to</p> <p>21 holding yourself up as you take -- as a normal gait</p> <p>22 involves the quadriceps, the patella, the kneecap,</p> <p>23 the patella tendon, and the tibia tubercle where it</p> <p>24 attaches. So, any break in that mechanism being a</p>	<p>1 A. So, what I mean -- I guess I would clarify</p> <p>2 that. I think there's usually disease within the</p> <p>3 tendon -- there's preexisting disease within the</p> <p>4 tendon for them to rupture. They don't -- you</p> <p>5 won't get a completely normal tendon before they</p> <p>6 rupture, but it does take a -- it takes a load for</p> <p>7 it to happen. Even if they have disease, they</p> <p>8 could have patellar -- just like Achilles</p> <p>9 tendonitis or other tendinopathies, they're quite</p> <p>10 frequent, it's only based on the mechanism.</p> <p>11 Eccentric -- usually it tears when there's an</p> <p>12 eccentric load to the tendon meaning that usually</p> <p>13 the knee is going the opposite direction that the</p> <p>14 quadriceps or patella tendon is supposed to go.</p> <p>15 So, usually the function of the extensor mechanism</p> <p>16 is to extend the leg so it's to straighten the leg.</p> <p>17 So, instead of doing that, the leg goes into a more</p> <p>18 bent position but it's still contracting, and</p> <p>19 that's where the most force is placed on the</p> <p>20 patella tendon.</p> <p>21 Q. So, when you say the disease within the</p> <p>22 tendon, what do you mean?</p> <p>23 A. So that there is I would call it more like</p> <p>24 tendinopathy, so there's inflammation within the</p>
<p>9</p> <p>1 quadriceps tear, a patella fracture, a patella</p> <p>2 tendon rupture, or a fracture from the tibia will</p> <p>3 not allow you to extend your knee and allow you to</p> <p>4 have a normal gait. A patellar tendon rupture can</p> <p>5 refer to tearing the patella tendon in -- anywhere</p> <p>6 from the very top of it, so where it's torn from</p> <p>7 the top of the -- or the bottom of the patella to</p> <p>8 the midsubstance to it being torn right off the</p> <p>9 bone. Typically, these things when they rupture,</p> <p>10 they rupture to a point where there's not a good --</p> <p>11 they rupture a lot of times midsubstance, so they</p> <p>12 rupture right in the middle. And they're not torn</p> <p>13 cleanly, they're torn severely on both sides. So,</p> <p>14 it's almost like a -- you would say almost like a</p> <p>15 mop end on both sides rather than a clean cut that</p> <p>16 you would imagine the way they tear. They're</p> <p>17 usually degenerative. They usually have some sort</p> <p>18 of tendinopathy involved in it because they're</p> <p>19 usually diseased. They typically occur under</p> <p>20 40-year old patients opposed to quadriceps tendon</p> <p>21 ruptures that usually occur over 40, but I've seen</p> <p>22 both occur in both age groups.</p> <p>23 Q. You said that they're usually</p> <p>24 degenerative?</p>	<p>11</p> <p>1 tendon. With inflammation, there may be partial</p> <p>2 intrasubstance tearing. You won't ever know about</p> <p>3 it. Patients may or may not ever complain about</p> <p>4 knee pain. It may just be inherent within the</p> <p>5 tendon itself.</p> <p>6 Q. What causes that? What causes the --</p> <p>7 A. So that -- you know, it's a variable.</p> <p>8 It's activity level. Sometimes you can -- if</p> <p>9 there's a genetic component to it, athletic</p> <p>10 activity. It can happen from, you know, overuse.</p> <p>11 It's very common -- I see it very commonly in</p> <p>12 patellar tendinopathy in basketball players,</p> <p>13 volleyball players. In athletic patients, you'll</p> <p>14 see that.</p> <p>15 Q. Would someone having a rod in their femur,</p> <p>16 would that affect their --</p> <p>17 A. The rod in the femur, there's no direct</p> <p>18 correlation between having a broken leg and a</p> <p>19 patellar tendon rupture; there's no direct</p> <p>20 correlation between the two. The only direct --</p> <p>21 now, I don't remember if his was retrograde or</p> <p>22 antegrade. Let me see here. I have to look back</p> <p>23 and see what --</p> <p>24 Q. Generally, before we --</p>



1 Q. -- at the time you met with Mr. Jones?
2 A. Yes.
3 Q. And that is what you base your diagnosis
4 of a --
5 A. So, a combination -- yes. So, I think the
6 diagnosis was made based on his history, his
7 physical exam, and his imaging, all three.
8 Q. Your recommendation was for him to then
9 have the surgery that you performed as soon as
10 possible, correct?
11 A. Yes.
12 Q. And, again, you said that the timeframe
13 between February 8 and February 16 was not
14 concerning to you in any way in terms of the
15 timeframe?
16 A. Eight days, no, is not concerning
17 considering it was three months since when I saw
18 him. So, an extra eight days does not change
19 anything. I think you want to get to ruptures
20 within -- the literature says less than four weeks.
21 So, it was eight days from when I saw him, three
22 months had already past, and I did know I was going
23 to have to perform a reconstruction. So, no, the
24 eight days was not concerning.

1 Q. But when you saw him, do you remember if
2 he had a brace on his knee?
3 A. Let me see here. I do not know that. I'm
4 not sure. I don't know if I indicated what he came
5 in with, if he had come in with a knee immobilizer
6 or not. I don't have any evidence either way. Let
7 me see here. Yes. No, I don't think so because I
8 put on there as a treatment option nonoperative
9 management would include placing him in an
10 immobilizer in extension and just trying to
11 gradually activate the quadriceps. He would not
12 really be able to extend his knee with his options.
13 I can give him the option of that. So, as far as I
14 know, I don't think he was in it at the time.
15 Q. So, as far as you know, he was not in a
16 knee brace at the time?
17 A. As far as I know, yes, but I can't
18 confer -- I have no evidence to say 100 percent
19 that he was.
20 Q. So, February 16 you do the surgery?
21 A. Yes.
22 Q. You said that you knew that you did a
23 graft I think is what you said?
24 A. Yes.

17

19

1 Q. Earlier you stated that when the patellar
2 tendon ruptures, you can't walk. So, if a general
3 practice physician saw a patient that was
4 complaining of some knee pain and that person could
5 still walk, it would not automatically be something
6 that the doctor, general practice physician, should
7 think is a ruptured patellar tendon, correct?
8 A. So, again, I don't know if he was braced.
9 If he was braced, if he was placed in a knee --
10 when something -- you can ambulate with a brace.
11 So, if he was put in a knee immobilizer and was
12 able to bear some weight, that is possible because
13 it's keeping your knee straight; you don't have to
14 rely on your knee being straight. And the biggest
15 thing he probably couldn't do, and I don't think he
16 could do when I saw him, which I would be shocked
17 if he could, is actually extend his knee against
18 resistance. That's probably the most classic
19 hallmark of a patellar tendon rupture is inability
20 to extend his knee which it says -- yes, he could
21 not hold his knee extended I think is when I did
22 it. He couldn't -- the patient could not actively
23 extend the knee and he couldn't hold it extended,
24 so that's the biggest thing.

1 Q. Tell me about the surgery.
2 A. So, the surgery involved a midline
3 incision. Let me pull up the op report so I'm on
4 the same page. Okay. So, the surgery -- yes, the
5 surgery involved a midline incision, usually a few
6 centimeters above the kneecap going down to the
7 front of the knee. You know, with a
8 longer-standing rupture of the patellar tendon,
9 typically the biggest -- I think the hardest thing
10 about it is trying to get the kneecap back down
11 because it chronically scars and it stays scarred
12 more proximally because it's sitting more
13 proximally. So, I think a lot of the time that we
14 spent doing the surgery was releasing scar. I
15 think I ended up getting -- I did get some X-rays
16 of the other side just to get a sense of how far
17 down we needed to pull the kneecap down. Let's see
18 here. Yes. So, in order to immobilize the area,
19 we released the area, extra synovial. We did
20 remove an area around a centimeter of scar tissue
21 from the tendon after we had kind of released all
22 the scar so that we could get a good fresh edge.
23 There was a lot of scar down to the femur, and so
24 we used -- I remember we used a big Cob elevator

18

20



<p>1 which is a device that could elevate the tissue. I 2 remember we had to run it up the femur in order to 3 release that kneecap and that quadriceps tendon 4 from it being scarred down to the bone. Once that 5 was done, we were able to get it to mobilize. We 6 did kind of have to hold it down, I remember that. 7 We had to hold it down with a device, a rake, in 8 order to pull the kneecap down, but we were able to 9 get it down which was good after we had done, 10 again, multiple releases. At that point, we 11 basically wove a semi-tendinosus graft which is a 12 hamstring graft from a cadaver. This cadaver graft 13 was thawed, placed on the stitches basically 14 through that tendon. And the reason we use that 15 tendon is to weave it through the patient's native 16 patellar tendon in order to, again, reconstruct his 17 patellar tendon. Because by the time we were able 18 to get the kneecap down and freshen up the patella 19 tendon, what he had left, it just wasn't as good 20 quality due to the scar tissue around it. So, once 21 we did that, we actually -- I think we ended up -- 22 yes. We put two anchors in front of the kneecap, 23 and then, we basically grafted that tendon through 24 his own patellar tendon. Let's see here. Yes. We</p>	<p>1 due to the metal concerns. 2 Q. Okay. 3 A. And so I think initially the information 4 that I had received from the guards at that time 5 were that they recommended the cast because they 6 were concerned about not being able to put him in a 7 brace. It doesn't change management really that 8 much because the first two weeks before we take out 9 the staples, we leave him in -- straight anyway, we 10 leave him in extension, so it doesn't change 11 management. 12 Q. So, the decision between a cast and a 13 brace doesn't affect the long-term results of the 14 surgery? 15 A. It does not. 16 Q. Even if it did, you got that information 17 from the IDOC guards, not from Dr. James or from 18 Wexford, correct? 19 A. Yes. 20 Q. The process that you just described with 21 the grafting and all of that, how common is that 22 when you do these types of surgeries? 23 A. So, reconstructions are not that common. 24 I probably would say less than ten percent of the</p>
<p>21</p> <p>1 actually passed around 20 millimeters of the actual 2 tendon into the kneecap itself, so we actually 3 drilled a hole into the kneecap and pulled it down, 4 and then, passed the tendon through -- kind of back 5 and forth through the native patellar tendon, and 6 then, we anchored it down with two anchors. So, we 7 took the tendon, anchored it back down into two 8 anchors, which are basically screws that have 9 stitches in them, and we did that about 30 degrees 10 of flexion. And once we did that, we were able to 11 get about 70 degrees actually at the end of it. We 12 were able to get about 70 degrees of flexion 13 without really pulling a lot of tension in the 14 repair, so we were happy with our repair. We then 15 put him into -- we ended up closing the two side -- 16 the retinaculum, and then, we had to put him in a 17 cast at that time due to the concern with the knee 18 brace so we ended up putting him in a cast. And 19 that was it.</p> <p>20 Q. When you say the concern with the brace, 21 what do you mean by that?</p> <p>22 A. Well, that's always a different 23 conversation that I have with the guards at the 24 time on what brace we can put him in after surgery</p>	<p>21</p> <p>1 time, maybe -- yeah, less than ten percent. 2 Typically, you can repair tendon-to-tendon in most 3 circumstances acutely.</p> <p>4 Q. The reason for having to do the 5 reconstruction in this case was because of the scar 6 tissue?</p> <p>7 A. Timeframe and scar tissue. So, timeframe 8 from onset of injury and surgical procedure; with 9 that, becomes more scar tissue which makes it less 10 likely for a side-to-side approximation of the 11 tendon because of how much tissue we have to kind 12 of remove from that area in order to mobilize the 13 patella.</p> <p>14 Q. Is there a way to tell specifically what 15 caused the scar tissue?</p> <p>16 A. Time. I mean there's no -- I mean 17 that's -- scar I mean is a very normal progression 18 of how things heal. And, you know, at certain 19 timeframes you're going to get a certain amount of 20 scar tissue, and I would say scarring or -- you 21 know, inflammation or scarring is how you heal. 22 So, as the time proceeds on, you're more likely to 23 get that scar tissue there.</p> <p>24 Q. So, could that scar tissue have been the</p>



<p>1 result of another injury?</p> <p>2 A. Not in that area, no, because the only</p> <p>3 other injury he had was the femur fracture, and the</p> <p>4 femur fracture was more proximal to that area so</p> <p>5 it's unlikely that that were to occur.</p> <p>6 Q. But you can't rule it out?</p> <p>7 A. Yes, I couldn't -- I would never rule it</p> <p>8 in, but, yeah, anything is possible, but there's no</p> <p>9 precedent for that to form scar in the knee without</p> <p>10 directly injuring the knee. Now, on that note, if</p> <p>11 he broke his femur and he didn't really move his</p> <p>12 knee for -- since '91 and there's definitely a</p> <p>13 possibility -- not directly from the injury, but</p> <p>14 from him not moving the knee from the initial</p> <p>15 injury after the initial injury, then he could get</p> <p>16 scar tissue from that. But the scarring wasn't</p> <p>17 really in his knee as it was more the scar was</p> <p>18 because of the fact that the patella was superiorly</p> <p>19 migrated for three months because of the patellar</p> <p>20 tendon rupture.</p> <p>21 Q. I guess my question is how do you know</p> <p>22 that for sure as opposed to something else? And</p> <p>23 assume that his injury that resulted in him having</p> <p>24 the rod in his leg was a gunshot wound.</p>	<p>1 Q. And you said that you were happy with the</p> <p>2 repair that you did, correct?</p> <p>3 A. Yes.</p> <p>4 Q. You considered it to be a successful</p> <p>5 surgery?</p> <p>6 A. Yes. And my judge of success was that</p> <p>7 clinically there appeared -- we were able to</p> <p>8 mobilize the patella distally. Again, it was high</p> <p>9 before we were able to bring it down, and it had</p> <p>10 good tension in the repair. Again, we were able to</p> <p>11 flex him around -- almost I think 90 degrees once</p> <p>12 we had closed the retinaculum without it looking</p> <p>13 like there was significant tension. So, almost</p> <p>14 90 degrees acutely without any healing so far,</p> <p>15 obviously, because we just fixed it. And so, you</p> <p>16 know, with that, I did feel like it was successful.</p> <p>17 Q. And 90 degrees acutely is a good outcome?</p> <p>18 A. It is.</p> <p>19 Q. That's on someone whether that person</p> <p>20 comes in with an acute injury or with a chronic</p> <p>21 injury?</p> <p>22 A. Yes.</p> <p>23 Q. The follow-up after the surgery --</p> <p>24 A. Yes.</p>
<p>25</p> <p>1 A. The reason I would say that I know that is</p> <p>2 the rod doesn't cause the patella to be superiorly</p> <p>3 migrated, and that's the problem. The scarring is</p> <p>4 not -- we don't care about the scar within the knee</p> <p>5 as much we care about the patella being superiorly</p> <p>6 migrated and us not being able to bring the patella</p> <p>7 down without releasing that scar and releasing the</p> <p>8 scar tissue around the tendon that had already</p> <p>9 formed. And so that's the scar that forms. That</p> <p>10 scar wouldn't form from a femur fracture. The</p> <p>11 scar -- there could be scar in the knee, like he</p> <p>12 could have stiffness within the joint, but scar of</p> <p>13 the patella being superiorly migrated or scar from</p> <p>14 the end of the patella tendon would not occur in a</p> <p>15 femur fracture because there's no precedent for it</p> <p>16 to occur, there's no reason for that to happen.</p> <p>17 Him having a stiff knee after a femur fracture and</p> <p>18 having, quote-unquote, scar tissue in the knee</p> <p>19 joint is definitely a possibility after a femur</p> <p>20 fracture. But having a patella that's superiorly</p> <p>21 migrated and having scar tissue around the patellar</p> <p>22 tendon does not typically occur after a femur</p> <p>23 fracture. I've never seen that occur, I've never</p> <p>24 seen it reported to occur.</p>	<p>25</p> <p>26</p> <p>1 Q. -- did Mr. Jones follow-up as requested by</p> <p>2 you? I think your initial request was that he come</p> <p>3 back in 10 to 14 days?</p> <p>4 A. Yes. He followed up on the 29th which was</p> <p>5 13 days after surgery.</p> <p>6 Q. At that time you examined him again,</p> <p>7 correct?</p> <p>8 A. I did.</p> <p>9 Q. You thought that he was progressing as you</p> <p>10 would expect?</p> <p>11 A. I did.</p> <p>12 Q. There was nothing about that examination</p> <p>13 on the 29th that was concerning or alarming to you,</p> <p>14 correct?</p> <p>15 A. Yes.</p> <p>16 Q. That was a bad question from me.</p> <p>17 There was nothing that was concerning or</p> <p>18 alarming?</p> <p>19 MR. FLAXMAN: Was there anything?</p> <p>20 MS. BYRD: Yes.</p> <p>21 THE WITNESS: There was not anything alarming</p> <p>22 when I saw him at two weeks. His staples were</p> <p>23 removed. His wound was well-healed. He had a</p> <p>24 little bit of swelling. I did remove his staples,</p>



<p>1 and I did have him see the physical therapist that 2 day to show him some exercises. 3 BY MS. BYRD: 4 Q. And that happened, he saw the physical 5 therapist, there was a recommendation of him doing 6 exercises, correct? 7 A. Yes. 8 Q. Those were shown to him; he was shown what 9 to do by the physical therapist? 10 A. Yes. 11 Q. And then at that time you asked, I think, 12 for him to come back in four weeks? 13 A. Yes. 14 Q. And that happened as well, correct? 15 A. Yes. 16 Q. At the time, I think, that date was 17 April 4 maybe? 18 A. So, I guess in hindsight, yes, so I was 19 trying to do my math here. So, it would have been 20 the end -- no. I guess that was right. It just 21 said -- yes, 2-16, it would have been 2 -- yes, 22 that's right. That makes sense, yes, because it 23 was 2 and then 6, okay. Yes. 24 Q. When you saw him on April 4, he was still</p>	<p>1 A. No, just stiffness. 2 Q. So, he was progressing as you would expect 3 him to progress at that point? 4 A. Yes. 5 Q. And then, I think, you recommended he come 6 back four to six weeks after that, correct? 7 A. Yes. 8 Q. And that was May 9? 9 A. Yes. 10 Q. When you saw him on May 9, he was 11 continuing to progress? 12 A. He was. He had gained, I think, around 30 13 or 40 -- he gained around 40 degrees of range of 14 motion. He could extend against resistance again. 15 I think at that point he was also -- both times he 16 saw the therapist while he was here in order to 17 work on different exercises. 18 Q. There was nothing unusual at that May 9 19 visit? 20 A. There was not. 21 Q. When you say he had gained 40 degrees, is 22 that from the date of the surgery or from the last 23 time you had seen him in April? 24 A. From the last time I had seen him in</p>
<p>29</p> <p>1 doing well, is that correct? 2 A. Yes. His range of motion was -- so, he's 3 six to seven weeks, so his range of motion was 4 about 50 degrees which is, you know, a little bit 5 on the tight side, you know, but he did have an 6 intact extensor mechanism at that point so he was 7 able to straighten his knee against resistance 8 even. But he was very tight, and that 9 unfortunately is a little bit part of the protocol 10 or part of the -- not protocol, but part of the 11 problem sometimes with extensor reconstructions. 12 We're a little slower with rehab and they can get 13 tighter. He also was doing therapy on his own. 14 The difference unfortunately with, you know, some 15 patients in the jail system versus a patient that 16 is not in the jail system is access to therapy, and 17 he did not have -- generally, the patients in these 18 protocols will have therapy two times a week at 19 least and they will be doing it on their own the 20 other four to five days. He didn't have that 21 access. He was doing only therapy on his own, so 22 that also makes him more likely to be tight. 23 Q. Other than that, there was nothing unusual 24 at that April 4 visit that you noted?</p>	<p>31</p> <p>1 April. 2 Q. So, if I understand you correctly, he had 3 50 degrees range of motion when you saw him in 4 April, and then, by May it would have been 5 90 degrees? 6 A. Yes. 7 Q. After May 9, you did not see him again, 8 correct? 9 A. That is correct. 10 Q. You don't have any reason to know about 11 the care that he received after May 9, correct? 12 A. The only thing that I did see is that I 13 think he wanted to transfer care because, as far as 14 I know -- and this, again -- I'm assuming that he 15 was released from prison because I did get a 16 correspondence from my assistant in July, it looks 17 like July 5, 2016, that he wanted to -- yes, he 18 said reason for calling, Johnny Jones refer ortho 19 in Chicago. So, I had recommended Rush downtown, 20 and my assistant had written a note from 7-7 spoke 21 to patient, referred to Rush, patient is happy and 22 understands this plan. And then it says here 23 instructed to call Rush to schedule transfer of 24 care. Patient states he will call back if there's</p>



<p>1 any issues. So, that's the last correspondence 2 that I have.</p> <p>3 Q. That was nearly two months after the last 4 time you saw him, correct?</p> <p>5 A. Yes.</p> <p>6 Q. Your recommendation on April 9 is that you 7 see him in four to six weeks, correct?</p> <p>8 MR. FLAXMAN: April 9 or May 9?</p> <p>9 THE WITNESS: May 9, yes.</p> <p>10 MS. BYRD: Every time I say April 9, we'll 11 assume I mean May 9.</p> <p>12 THE WITNESS: Yes.</p> <p>13 BY MS. BYRD:</p> <p>14 Q. You did not see him in that four- to 15 six-week period, correct?</p> <p>16 A. I did not.</p> <p>17 Q. Other than he called for a referral, you 18 don't know anything about his care after May 9, 19 2016, correct?</p> <p>20 A. I do not.</p> <p>21 Q. When you last saw Mr. Jones on May 9, 22 2016, was there any expectation on your part that 23 he would need to have further surgery?</p> <p>24 A. There was no expectation, no.</p>	<p>1 that time that made you think that he was going to 2 fall into that camp?</p> <p>3 A. I mean at that point at three months in my 4 opinion, it's too early to do that. I would not 5 recommend any sort of manipulation or lysis of 6 adhesions at three months. That was the last time 7 I saw him.</p> <p>8 Q. Okay.</p> <p>9 A. So, you know, I've -- again, in my 10 experience, I've had multiple patients be maybe 11 not -- you know, I've had patients that tight at 12 three months, and in four months and five months 13 they get full motion. So, I would still recommend 14 at that stage to not perform a repeat surgery -- or 15 not a repeat, an additional surgery until more time had past.</p> <p>17 Q. When you see people who are that tight at 18 three months and by five months they have full 19 range of motion, what steps do those patients take 20 in that timeframe to reach that?</p> <p>21 A. So, typically I'll put patients on either 22 some sort of antiinflammatory, so a steroid, oral 23 steroids, I'll put patients on NSAIDs, and then, 24 they will be in very vigorous, dedicated physical</p>
<p>33</p> <p>1 Q. Did you have any indication that he would 2 need further surgery?</p> <p>3 A. I didn't have any expectation that he 4 would need further surgery. In these circumstances 5 when patients are very tight after surgery or 6 still -- there's a tightness, especially with 7 flexion, there is always a risk of needing an 8 additional surgery which would include a 9 manipulation under anesthesia and occasionally a 10 lysis of scar tissue or lysis of adhesions 11 arthroscopically. I have had one instance of a 12 quadriceps repair where I had to do that where the 13 patient was very tight even six or nine months 14 after surgery and we did perform that. So, that's 15 the -- when I talk about risks of surgery with the 16 patient, stiffness is, of course, one of them, 17 especially on a reconstruction. And that is a 18 possible additional surgery that the patient may 19 need if they're tight. The other possibility is if 20 the patient re-ruptures and they need a repeat 21 surgery. Those are the two possible surgeries 22 afterwards, but that's variable depending on how 23 the patient does afterwards.</p> <p>24 Q. There was nothing that you observed at</p>	<p>33</p> <p>1 therapy. So, they are getting therapy a few days a 2 week with a therapist, and then, multiple days a 3 week by themselves gradually every day trying to 4 get a little bit more range of motion and breaking 5 up scar tissue.</p> <p>6 Q. If someone is not doing physical therapy 7 in that timeframe, would you expect there to be any 8 improvement in their tightness?</p> <p>9 A. So, I think that it depends on how 10 motivated patients are doing therapy by themselves, 11 but if they are not doing therapy by themselves -- 12 even if they are, I still think it's difficult to 13 gain more motion without an individual getting any 14 sort of assistance with that in terms of getting 15 the knee bent back farther and farther with 16 assistance.</p> <p>17 Q. Have you operated on other patients where 18 it's been three months between the injury and the 19 surgery?</p> <p>20 A. The longest, I think, would be a month. 21 The longest would be a month for what I've seen. 22 Yes, I would say a month is the longest. Never -- 23 I have not seen a three-month -- he would probably 24 be my only patient with three months in-between</p>



<p>1 time from injury to time to surgery.</p> <p>2 Q. The one time, that was a month?</p> <p>3 A. Yes.</p> <p>4 Q. What did you observe in that patient?</p> <p>5 A. That patient, it was a quad rupture so it</p> <p>6 was a little bit different, it wasn't a patellar</p> <p>7 tendon rupture, it was a quad rupture, but it was</p> <p>8 the same mechanistically thing. And the patient</p> <p>9 really couldn't walk for almost three weeks but</p> <p>10 felt that he may have just strained his quad and</p> <p>11 was essentially hobbling during that timeframe</p> <p>12 before he came in and saw me. So, you know, the</p> <p>13 repair itself -- he was actually the one patient</p> <p>14 that I did end up having to perform a manipulation</p> <p>15 under and a lysis of adhesions. So, he was the one</p> <p>16 that got tighter because I think, in my opinion,</p> <p>17 the longer he had not been mobilizing his knee, the</p> <p>18 rehab was a little bit slower with him, and he was</p> <p>19 more likely to get tight after surgery. And so I</p> <p>20 did have to manipulate -- and he had really good</p> <p>21 therapy, but I did have to manipulate him. And I</p> <p>22 did, and I think at nine months I had to perform a</p> <p>23 manipulation and an arthroscopic lysis of adhesions</p> <p>24 and he gained another 15 to 20 degrees. So, he had</p>	<p>1 knee. You may have some weakness in extension, so</p> <p>2 you may not have the same strength you have in your</p> <p>3 other knee, and then, you have stiffness with range</p> <p>4 of motion. Those are the biggest risks. That</p> <p>5 being said, I would say that 90 percent of patients</p> <p>6 gain full extension. And the majority of patients,</p> <p>7 I don't know the exact percentage on this, are</p> <p>8 close in terms of strength to their other side. If</p> <p>9 you do an isometric test, they're probably not</p> <p>10 quite there on their other side, but they're close.</p> <p>11 It's not as perceptible to the patient. You could</p> <p>12 lose though up to 10 to 15 degrees of flexion</p> <p>13 compared to your other side, but, again, that's</p> <p>14 a -- I don't know what the -- to be honest, I don't</p> <p>15 know the exact numbers on the most recent study on</p> <p>16 what the comparison are. And there's so many</p> <p>17 variables from time of surgery to type of repair to</p> <p>18 rehab type to tissue quality to otherwise mobility.</p> <p>19 So, there's just so many variables that I don't</p> <p>20 know if they have exact numbers on that.</p> <p>21 MS. BYRD: Give me one minute. That might be</p> <p>22 all I have.</p> <p>23 THE WITNESS: Okay.</p> <p>24</p>
<p>37</p> <p>1 good strength, but was tight.</p> <p>2 Q. Would you agree that the therapy is a</p> <p>3 really important part of recovering from this kind</p> <p>4 of surgery?</p> <p>5 A. It's crucial, yes.</p> <p>6 Q. And that's whether you're doing it on your</p> <p>7 own or doing it with a professional?</p> <p>8 A. Yes.</p> <p>9 Q. How often would you say that someone needs</p> <p>10 to do physical therapy to successfully recover?</p> <p>11 A. Their usual recommendation is two to three</p> <p>12 times a week. There's no literature or science to</p> <p>13 say what's the exact number, but typically that</p> <p>14 timeframe allows the patient to do exercises on</p> <p>15 their own but have a feedback in enough of a timely</p> <p>16 manner so that they can modify or adjust what</p> <p>17 therapy exercises they are doing and how aggressive</p> <p>18 they're doing it. It also allows the therapist to</p> <p>19 contact me if there's a problem.</p> <p>20 Q. With regular therapy, do you see great</p> <p>21 success from these surgeries?</p> <p>22 A. Yes. I would say that the biggest risk we</p> <p>23 tell every patient is that you'll have an extensor</p> <p>24 lag meaning you won't be able to fully extend your</p>	<p>39</p> <p>1 BY MS BYRD:</p> <p>2 Q. Is it accurate for me to say that you</p> <p>3 would have needed the MRI before you knew what</p> <p>4 specifically had happened with Mr. Jones' knee?</p> <p>5 A. I think it's confirmatory. I don't -- and</p> <p>6 I do it for every quadriceps and patellar tendon</p> <p>7 patient because I think it allows me to see where</p> <p>8 the tear -- it's good for surgical planning. Is it</p> <p>9 ultimately crucial for diagnosis? I believe that</p> <p>10 the physical -- the history, physical exam, and</p> <p>11 x-rays are enough, but the MRI is a very important</p> <p>12 aid in surgical planning and it can be confirmatory</p> <p>13 for the diagnosis.</p> <p>14 Q. When you say the physical exam, what would</p> <p>15 the appropriate physical exam be?</p> <p>16 A. So, a palpable defect -- well, physical</p> <p>17 exam. No. 1, it would be weakness in extension,</p> <p>18 inability to extend the knee, especially against</p> <p>19 resistance, especially against gravity; a palpable</p> <p>20 defect at the inferior aspect of the patella; a</p> <p>21 patella that is superiorly migrated. Looking for</p> <p>22 other possible diagnoses to rule out, so a palpable</p> <p>23 quadriceps tendon so you could feel the quadriceps</p> <p>24 tendon, no instability in other ligaments so</p>



1 varus-valgus, ACL is okay, PCL is okay. Those
2 probably would be the biggest thing on physical
3 exam. And then diagnostic imaging, being able to
4 evaluate the height of the patella and a patella
5 that is superiorly migrated on x-ray, especially
6 with the -- I think I measured iso valve ratio,
7 those are all helpful in diagnosing a patella
8 tendon rupture.

9 Q. Would you expect swelling on a patella
10 tendon rupture?

11 A. I would expect a large effusion, yes.

12 Q. What about pain, what would you expect?

13 A. I would expect an immediate pain right
14 after the injury and continual pain. If the knee
15 is placed in -- again, in extension and it's not
16 stressed, then the pain may decrease. But, yes,
17 immediate pain, immediate swelling. There's a
18 large, bloody effusion. The patients will get a
19 large -- basically an accumulation of blood when
20 the tendon ruptures.

21 Q. If a patient presented with mild pain, no
22 swelling, and the x-ray came back saying that the
23 patella was slightly high-riding, would that
24 automatically trigger to you that it's a patellar

1 50 degrees of flexion, the patient if you were to
2 take a straight line from straight to where it
3 bends is about 50 degrees. Typically, patients
4 can -- or normal people, I guess, can flex anywhere
5 from 130 degrees to 140 degrees. You always
6 compare it -- well, typically I'll compare it to
7 the other side as a baseline, but that's what we
8 talk about when we talk about range of motion.

9 Q. Is the type of injury that you saw in
10 Mr. Jones a career-ending injury for a basketball
11 player?

12 A. It is -- it can be. There are guys that
13 have been able to return to playing. There's a few
14 guys even in the NBA who have returned to playing.
15 There's -- I have seen it in the NFL. I took care
16 of an NFL team, I've seen guys return to the NFL,
17 but it is a bad injury. It can be a career-ending
18 injury.

19 Q. In the beginning of the deposition when
20 you were talking about that physical therapy seems
21 to have gotten easier -- there's more access to it
22 in the prison now than there was when you first
23 started. Could you explain what you meant by that,
24 if I heard it correctly?

41

43

1 tendon rupture?

2 A. If I was given that history, that would
3 not be the expectation, no.

4 MS. BYRD: I think that's all I have.

5 EXAMINATION

6 BY MR. FLAXMAN:

7 Q. Could you explain to us what you meant by
8 range of motion?

9 A. So, range of motion refers to the ability
10 to -- for the patient to bend and straighten the
11 knee. It's measured -- at least I measure it in
12 three numbers; the first number is how much they
13 can extend. So, typically if patients can extend
14 to neutral, it's zero. If they can higher extend,
15 you add whatever that degree of hyperextension is,
16 so one, two, three. Typically, patients are
17 anywhere from zero, so ability to fully extend
18 which is zero degrees to upwards of five if they
19 have hyperlaxity. The second number is typically
20 zero. It's typically if they can extend to zero,
21 if they can extend to zero or past zero, it's zero.
22 And then the third number is the ability to flex
23 the knee. So, you measure it from straight, we see
24 how much they can flex. So, we talk about

1 A. Yes. At least there was -- recently I
2 performed an ACL reconstruction on a patient, and I
3 was told by that inmate and patient that there was
4 access to a therapist on-site that was able to do
5 more consistent therapy with the patient.

6 Q. As far as you know, was there a physical
7 therapist available to Mr. Jones back in 2016?

8 A. No, not that I know of.

9 MR. FLAXMAN: I have nothing further.

10 MS. BYRD: I think just two quick questions.

11 FURTHER EXAMINATION

12 BY MS. BYRD:

13 Q. The patient that you just talked about who
14 said they had physical therapy available on-site,
15 what prison was that person in?

16 A. As far as I know, it was Stateville
17 prison, yes.

18 Q. You talked about range of motion. In your
19 notes, you also have strength, you measure strength
20 in here?

21 A. Yes.

22 Q. And you say that Mr. Jones has strength of
23 five of five with extensor hallucis longus?

24 A. Yes.

42

44



<p>1 Q. Did I get that?</p> <p>2 A. Yes.</p> <p>3 Q. What does that mean?</p> <p>4 A. So, that really refers to his</p> <p>5 neurovascular status. And typically with an</p> <p>6 extensor tendon repair, we don't necessarily -- the</p> <p>7 nerves are very unlikely to get injured, but</p> <p>8 there's always a chance of them getting injured.</p> <p>9 So, the extensor hallucis longus refers to the big</p> <p>10 toe basically, and what nerve that refers to is the</p> <p>11 peritoneal nerve. So, I check all of their distal</p> <p>12 or all of my patients' distal extremities to make</p> <p>13 sure that everything distally is okay. So, the big</p> <p>14 toe is the extensor hallucis longus or EHL, and</p> <p>15 that just means that he's neurovascularly intact.</p> <p>16 I think there was an area that I had written, also,</p> <p>17 that he had five out of five strength against</p> <p>18 resistance as well which would have been, I think,</p> <p>19 the last time I saw him. Let me see here. I did</p> <p>20 put that he had good extensor strength.</p> <p>21 Q. Yes.</p> <p>22 A. Yes. So, I think I wrote in his last note</p> <p>23 on May 9, I said patient can extend against</p> <p>24 resistance with five out five strength. So, I</p>	<p>1 you can trust that it was written down correctly</p> <p>2 and waive your signature.</p> <p>3 THE WITNESS: Where does it get sent to? It</p> <p>4 gets sent to me directly to my e-mail or where does</p> <p>5 it get sent to?</p> <p>6 (Whereupon, the record was</p> <p>7 read as requested.)</p> <p>8 THE WITNESS: I think it's fine. You can</p> <p>9 waive.</p> <p>10 (Whereupon, the deposition</p> <p>11 concluded at 3:20 o'clock p.m.)</p> <p>12</p> <p>13</p> <p>14</p> <p>15</p> <p>16</p> <p>17</p> <p>18</p> <p>19</p> <p>20</p> <p>21</p> <p>22</p> <p>23</p> <p>24</p>
<p>45</p> <p>1 think he had good strength against resistance.</p> <p>2 Q. So, that means that you had to put his leg</p> <p>3 out and you pushed down on it or what does that</p> <p>4 mean?</p> <p>5 A. Yes. So, that means that he was able to</p> <p>6 extend his leg against me pushing against it.</p> <p>7 Q. When you say five out of five, that's as</p> <p>8 good as --</p> <p>9 A. Yes. That would imply in my opinion --</p> <p>10 it's a subjective measure, there's no objectivity</p> <p>11 to it. But as a subjective measure, he was able to</p> <p>12 extend against resistance which makes, again, from</p> <p>13 a physical exam finding that he has an intact</p> <p>14 extensor mechanism.</p> <p>15 Q. Which is what you would expect after a</p> <p>16 successful surgery?</p> <p>17 A. Yes.</p> <p>18 MS. BYRD: I think that's all I have.</p> <p>19 MR. FLAXMAN: Signature?</p> <p>20 MS. BYRD: We can send you a copy of the</p> <p>21 transcript so you can review it and make sure that</p> <p>22 what you said is what was written down.</p> <p>23 THE WITNESS: Sure.</p> <p>24 MS. BYRD: You can't change your answers. Or</p>	<p>47</p> <p>1 STATE OF ILLINOIS)</p> <p>2) SS:</p> <p>3 COUNTY OF COOK)</p> <p>4 I, CHRISTINE M. PINA, do hereby certify</p> <p>5 that heretofore, to-wit, on July 12, 2019</p> <p>6 personally appeared before me, at 1310 N. Main</p> <p>7 Street, Sandwich, Illinois, DR. ANKHUR BEHL, in a</p> <p>8 cause now pending and undetermined in the United</p> <p>9 States District Court, Northern District of</p> <p>10 Illinois, wherein JOHNNY JONES is the Plaintiff,</p> <p>11 and WEXFORD HEALTH SOURCES, INC. and DR. MARSHALL</p> <p>12 JAMES are the Defendants.</p> <p>13 I further certify that the said DR. ANKHUR</p> <p>14 BEHL was first duly sworn to testify the truth, the</p> <p>15 whole truth and nothing but the truth in the cause</p> <p>16 aforesaid; that the testimony then given by said</p> <p>17 witness was reported stenographically by me in the</p> <p>18 presence of the said witness, and afterwards</p> <p>19 reduced to typewriting by Computer-Aided</p> <p>20 Transcription, and the foregoing is a true and</p> <p>21 correct transcript of the testimony so given by</p> <p>22 said witness as aforesaid.</p> <p>23 I further certify that the signature to</p> <p>24 the foregoing deposition was waived by counsel for</p>



1 the respective parties.

2 I further certify that the taking of this
3 deposition was pursuant to subpoena and that there
4 were present at the deposition the attorneys
5 hereinbefore mentioned.

6 I further certify that I am not counsel
7 for nor in any way related to the parties to this
8 suit, nor am I in any way interested in the outcome
9 thereof.

10

11 IN TESTIMONY WHEREOF: I have hereunto set
12 my hand this 1st day of August, 2019.

13

14

Christie M. Dina

15

16

CERTIFIED SHORTHAND REPORTER

17

LICENSE NO. 084-003785

18

19

20

21

22

23

24

49



1	8	ANKHUR	bends	centimeters	contracting
40:17	5:2	ankle	43:3	20:6	11:18
1-18-16	6:7	answers	bent	cetera	conversation
16:22	4:16 46:24	antegrade	11:18 36:15	7:22	22:23
10	12:22	antiinflammatory	big	chance	conversations
6:16 28:3 39:12	35:22	appeared	20:24 45:9,13	45:8	7:20
100	31:8,10,18 32:7,11	approved	biggest	change	copy
19:18	33:6,8,9,10,11,18,21	approximately	14:21 18:14,24 20:9	17:18 23:7,10 46:24	16:13,21 46:20
13	45:23	approximation	38:22 39:4 41:2	check	correct
28:5	90	April	bit	15:13 45:11	6:23 15:8,12 17:10
130	27:11,14,17 32:5	area	28:24 30:4,9 36:4	Chicago	18:7 23:18 27:2
43:5	39:5	arthroscopic	37:6,18	32:19	28:7,14 29:6,14 30:1
14	91	arthroscopically	blood	chronic	31:6 32:8,9,11 33:4,
28:3	13:14,15 25:12	arthroscopies	41:19	27:20	7,15,19
140	A	assistance	bloody	chronically	correctly
43:5		assistant	41:18	20:11	32:2 43:24
15		assume	Bloomington	circumstances	correlation
6:16 37:24 39:12		assumingly	5:18	15:24 24:3 34:4	12:18,20 13:17
16		assumingly	bone	clarify	correspondence
15:11,15 17:13		assumingly	10:9 21:4	11:1	32:16 33:1
19:20		assistant	bottom	classic	crucial
2		assistant	10:7	18:18	9:20 38:5 40:9
29:21,23		assumingly	brace	clean	cut
2-16		assumingly	9:4,10 18:10 19:2,16	10:15	10:15
29:21		assumingly	22:18,20,24 23:7,13	cleanly	
2-8-16		assistant	braced	10:13	
16:6		assistant	18:8,9	clinical	
20		assumingly	6:6	14:8	
22:1 37:24		assistant	bread-butter	clinically	
2015		assumingly	9:24	27:7	
15:3		assistant	breaking	close	
2016		assumingly	36:4	39:8,10	
15:5,6,10,12 16:11		assistant	bring	closed	
32:17 33:19,22 44:7		assumingly	26:6 27:9	27:12	
25		assistant	broke	closing	
6:17		assumingly	25:11	22:15	
29th		assistant	broken	Cob	
28:4,13		assumingly	12:18	20:24	
3		assistant	brought	combination	
30		assumingly	16:8	17:5	
14:5 22:9 31:12		assistant	Byrd	common	
4		assumingly	4:3,5,8,12,15,19 5:6	12:11 23:21,23	
29:17,24 30:24		assistant	28:20 29:3 33:10,13	commonly	
40		assumingly	39:21 40:1 42:4	12:11	
10:21 14:5 31:13,21		assistant	44:10,12 46:18,20,	communication	
40-year		assumingly	24	13:3	
10:20		assistant	C	compare	
5		assumingly		43:6	
32:17		assistant		compared	
50		assumingly	39:13		
6:2 30:4 32:3 43:1,3		assistant		comparison	
6		assumingly	16:19 39:16		
29:23		assistant		complain	
7		assumingly	12:3		
32:20		assistant		complaining	
70		assumingly	18:4		
22:11,12		assistant		completely	
		assumingly	11:5		
		assistant		component	
		assumingly	9:20 12:9		
		assistant		concern	
		assumingly	22:17,20		
		assistant		concerned	
		assumingly	23:6		
		assistant		concerns	
		assumingly	23:1		
		assistant		confer	
		assumingly		19:18	
		assistant		confirmatory	
		assumingly	4:5	40:5,12	
		assistant		considered	
		assumingly	5:23	27:4	
		assistant		consistent	
		assumingly	26:2	44:5	
		assistant		contact	
		assumingly	24:15	38:19	
		assistant		continual	
		assumingly	41:14	41:14	
		assistant		continuing	
		assumingly	20:20	31:11	



direct 12:17,19,20 13:3,17	experience 7:17,21,23 35:10	Fort 5:11,12	happened 29:4,14 40:4	Indiana 5:13	Jones 6:8 14:7 17:1 28:1 32:18 33:21 43:10 44:7,22
direction 11:13 13:7 14:1	experiences 7:5	four- 33:14	happy 22:14 27:1 32:21	Indianapolis 5:19,20	Jones' 40:4
directly 25:10,13	explain 42:7 43:23	fracture 10:1,2 13:8 25:3,4 26:10,15,17,20,23	hard 16:13	indication 34:1	judge 27:6
disease 11:2,3,7,21	extend 8:22 9:6,8,9 10:3 11:16 18:17,20,23 19:12 31:14 38:24	fractures 6:5,7	hardest 20:9	individual 36:13	July 32:16,17
diseased 10:19	extended 18:21,23	frequent 11:10	heal 24:18,21	inferior 40:20	K
disk 16:12,13	extension 19:10 23:10 39:1,6 40:17 41:15	fresh 20:22	healing 27:14	inflammation 11:24 12:1 24:21	keeping 18:13
distal 45:11,12	extensor 8:20 9:18 11:15 30:6,11 38:23 44:23	freshen 21:18	Health 4:8	information 7:19 23:3,16	kind 6:2 14:18 20:21 21:6 22:4 24:11 38:3
distally 27:8 45:13	extra 17:18 20:19	front 20:7 21:22	heard 43:24	inherent 12:4	knee 8:22 9:6,8,9,19 10:3 11:13 12:4 13:4,13, 20 16:20,21 18:4,9, 11,13,14,17,20,21, 23 19:2,5,12,16 20:7 22:17 25:9,10,12,14, 17 26:4,11,17,18 30:7 36:15 37:17 39:1,3 40:4,18 41:14 42:11,23
doctor 5:7 7:14 18:6	extremities 45:12	full 35:13,18 39:6	height 41:4	injured 45:7,8	kneecap 9:22 20:6,10,17 21:3,8,18,22 22:2,3
downtown 32:19		fully 38:24 42:17	high-riding 41:23	injuries 6:1 7:9 8:16	knees 6:5
drilled 22:3		function 11:15	higher 42:14	injuring 25:10	knew 15:23 19:22 40:3
due 8:17 21:20 22:17 23:1			hindsight 29:18	injury 8:12 14:21 15:3,16 24:8 25:1,3,13,15,23 27:20,21 36:18 37:1 41:14 43:9,10,17,18	knowledge 15:7
duly 4:2 5:3			hip 13:2,12,24	inmate 6:9 8:13 44:3	
			history 17:6 40:10 42:2	inmates 6:11,12,13,14,16,17, 21 7:20 8:2,8,9,11, 15 14:3	
			hobbling 37:11	instability 40:24	
			hold 18:21,23 21:6,7	instance 34:11	
			holding 9:21	instructed 32:23	
			hole 22:3	intact 9:7,12,13 30:6 45:15 46:13	
			honest 14:17 39:14	intervention 7:11	
			Hospitals 5:12	intramedullary 13:10	
			hyperextension 42:15	intrasubstance 12:2	
			hyperlaxity 42:19	introduced 4:3	
				involved 10:18 20:2,5	
				involves 9:22	
				iso 41:6	
				isometric 39:9	
				issues 33:1	
				IU 5:17,18	
					J
				jail 30:15,16	
				James 4:9 23:17	
				January 16:11	
				Johnny 32:18	
				joint 26:12,19	



<p style="text-align: center;">M</p> <p>made 17:6 35:1 majority 39:6 make 13:4 45:12 46:21 makes 13:1 24:9 29:22 30:22 46:12 making 4:16 management 19:9 23:7,11 manipulate 37:20,21 manipulation 34:9 35:5 37:14,23 manner 38:16 math 29:19 matter 4:9 meaning 11:12 13:18 38:24 means 9:16 45:15 46:2,5 meant 42:7 43:23 measure 42:11,23 44:19 46:10,11 measured 41:6 42:11 mechanism 8:20 9:18,24 11:10,15 30:6 46:14 mechanistically 37:8 medical 5:9 7:2 medicine 5:13,14,24 met 17:1 metal 23:1 middle 10:12 midline 13:5 20:2,5 midsubstance 10:8,11 migrated 25:19 26:3,6,13,21 40:21 41:5 mild 41:21 millimeters 22:1 minute 39:21 mobility 39:18 mobilize 21:5 24:12 27:8 mobilizing 37:17 modify 38:16 month 36:20,21,22 37:2 months 15:2,5 17:17,22 25:19 33:3 34:13 35:3,6,12,18 36:18,24 37:22</p>	<p>mop 10:15 motion 30:2,3 31:14 32:3 35:13,19 36:4,13 39:4 42:8,9 43:8 44:18 motivated 36:10 move 25:11 moving 25:14 MRI 15:19,20,22,24 16:3,4,6,8,11,19,21 40:3,11 multiple 21:10 35:10 36:2</p> <p style="text-align: center;">N</p> <p>nailing 13:10 nails 13:16 native 21:15 22:5 NBA 43:14 necessarily 45:6 needed 20:17 40:3 needing 34:7 nerve 45:10,11 nerves 45:7 neurovascular 45:5 neurovascularly 45:15 neutral 42:14 NFL 43:15,16 nonoperative 19:8 normal 9:21 10:4 11:5 24:17 43:4 note 15:2 25:10 32:20 45:22 noted 30:24 notes 44:19 November 15:3,6 NSAIDS 35:23 number 38:13 42:12,19,22 numbers 39:15,20 42:12</p> <p style="text-align: center;">O</p> <p>objectivity 46:10 observe 37:4 observed 34:24 occasionally 15:24 34:9</p>	<p>occur 10:19,21,22 25:5 26:14,16,22,23,24 occurred 14:11 15:3 Oklahoma 5:9,10 on-site 7:7 44:4,14 onset 24:8 op 20:3 operated 6:15 14:11 36:17 opinion 8:1 35:4 37:16 46:9 opposed 10:20 25:22 opposite 11:13 option 19:8,13 options 19:12 oral 35:22 order 20:18 21:2,8,16 24:12 31:16 ortho 32:18 orthopaedics 5:21 orthopedic 5:11,22 outcome 27:17 outloud 4:16 overuse 12:10</p> <p style="text-align: center;">P</p> <p>pain 12:4 18:4 41:12,13,14,16,17,21 palpable 40:16,19,22 part 30:9,10 33:22 38:3 partial 12:1 passed 22:1,4 past 17:22 35:16 42:21 patella 8:5 9:22,23 10:1,5,7 11:14,20 21:18 24:13 25:18 26:2,5,6,13,14,20 27:8 40:20,21 41:4,7,9,23 patellar 8:18,19 9:3,6,7,11,12,13,15 10:4 11:8 12:12,19 16:7 18:1,7,19 20:8 21:16,17,24 22:5 25:19 26:21 37:6 40:6 41:24 patient 15:16 16:9 18:3,22 30:15 32:21,24 34:13,16,18,20,23 36:24 37:4,5,8,13 38:14,23 39:11 40:7 41:21 42:10 43:1 44:2,3,5,13 45:23</p>	<p>patient's 21:15 patients 7:5,6,9,10 8:23 10:20 12:3,13 30:15,17 34:5 35:10,11,19,21,23 36:10,17 39:5,6 41:18 42:13,16,17 43:3 patients' 45:12 PCL 41:1 people 35:17 43:4 percent 6:3 19:18 23:24 24:1 39:5 percentage 39:7 perceptible 39:11 perform 17:23 34:14 35:14 37:14,22 performed 17:9 44:2 period 33:15 peritoneal 45:11 person 18:4 27:19 44:15 physical 7:7 17:7 29:1,4,9 35:24 36:6 38:10 40:10,14,15,16 41:2 43:20 44:6,13 physician 18:3,6 picture 14:8 placing 19:9 plan 32:22 planning 40:8,12 player 43:11 players 12:12,13 playing 43:13,14 point 10:10 16:6 21:10 30:6 31:3,15 35:3 position 11:18 possibility 25:13 26:19 34:19 post 13:9 practice 5:15,21,23 6:4,22 7:14 18:3,6 precedent 25:9 26:15 preexisting 11:3 presented 41:21 primarily 14:14 primary 6:2 prison 7:1,2 32:15 43:22 44:15,17</p> <p style="text-align: center;">Q</p> <p>quad 8:19 37:5,7,10 quadriceps 9:22 10:1,20 11:14 19:11 21:3 34:12 40:6,23 relationship 13:22 release 21:3 released 20:19,21 32:15 releases 21:10 releasing 20:14 26:7 rely 18:14 remember 12:21 13:6,11 14:8,9,10,12,14,15 15:1,18 16:15 19:1 20:24 21:2,6 remove 20:20 24:12 28:24 removed 28:23 repair 14:14 22:14 24:2 27:2,10 34:12 37:13 39:17 45:6 repeat 34:20 35:14,15 report 16:14 20:3 reported 26:24 represent 4:8,9 16:10</p>	<p>problem 26:3 30:11 38:19 procedure 24:8 proceeds 24:22 process 7:8,11 8:24 23:20 professional 38:7 progress 31:3,11 progressing 28:9 31:2 professional 38:7 progression 24:17 protocol 30:9,10 protocols 30:18 proximal 25:4 proximally 20:12,13 pull 20:3,17 21:8 pulled 22:3 pulling 22:13 pushed 46:3 pushing 46:6 put 18:11 19:8 21:22 22:15,16,24 23:6 35:21,23 45:20 46:2 putting 22:18</p> <p style="text-align: center;">Q</p> <p>quad 8:19 37:5,7,10 quadriceps 9:22 10:1,20 11:14 19:11 21:3 34:12 40:6,23 relationship 13:22 release 21:3 released 20:19,21 32:15 releases 21:10 releasing 20:14 26:7 rely 18:14 remember 12:21 13:6,11 14:8,9,10,12,14,15 15:1,18 16:15 19:1 20:24 21:2,6 remove 20:20 24:12 28:24 removed 28:23 repair 14:14 22:14 24:2 27:2,10 34:12 37:13 39:17 45:6 repeat 34:20 35:14,15 report 16:14 20:3 reported 26:24 represent 4:8,9 16:10</p>
---	--	--	--	--



request 28:2	screws 22:8	stiff 26:17	team 43:16	timeframe 14:9,10,21,24 15:14, 15 17:12,15 24:7 35:20 36:7 37:11 38:14
requested 28:1	semi-tendinosis 21:11	stiffness 26:12 31:1 34:16 39:3	tear 10:1,16 40:8	V
resistance 18:18 30:7 31:14 40:19 45:18,24 46:1, 12	send 7:9 46:20	stitches 21:13 22:9	tearing 10:5 12:2	valve 41:6
result 25:1	sense 20:16 29:22	straight 18:13,14 23:9 42:23 43:2	tears 11:11	variable 12:7 34:22
resulted 25:23	severely 10:13	straighten 9:19 11:16 30:7 42:10	ten 23:24 24:1	variables 39:17,19
results 23:13	Sheridan 6:9	strained 37:10	tendinopathies 11:9	varus-valgus 41:1
retinaculum 22:16 27:12	shocked 18:16	streamline 7:12	tendinopathy 10:18 11:24 12:12	versus 30:15
retrograde 12:21 13:16,18	show 29:2	streamlined 7:18	tendon 8:18,19 9:3,6,8,11, 12,13,14,16,23 10:2, 4,5,20 11:3,4,5,12, 14,20,22 12:1,5,19 13:5,21 16:1,2,7 18:2,7,19 20:8,21 21:3,14,15,16,17,19, 23,24 22:2,4,5,7 24:11 25:20 26:8,14, 22 37:7 40:6,23,24 41:8,10,20 42:1 45:6	vigorous 35:24
return 43:13,16	side 20:16 22:15 30:5 39:8,10,13 43:7	strength 38:1 39:2,8 44:19,22 45:17,20,24 46:1	tendon-to-tendon 24:2	visit 14:15 15:4 30:24 31:19
returned 43:14	side-to-side 24:10	study 39:15	tendonitis 11:9	volleyball 12:13
review 14:16 46:21	sides 10:13,15	stuff 6:4	tendons 6:1	W
reviewed 16:23	Signature 46:19	subjective 46:10,11	tension 22:13 27:10,13	wait 4:19,21
risk 34:7 38:22	significant 27:13	subspecialty 7:15	terms 14:12 17:14 36:14 39:8	walk 8:21 9:1,3,4 18:2,5 37:9
risks 34:15 39:4	sitting 20:12	success 27:6 38:21	test 39:9	walking 9:11,20
rod 12:15,17 13:2,3,7 25:24 26:2	six-week 33:15	successful 27:4,16 46:16	testified 5:4	wanted 32:13,17
rule 25:6,7 40:22	sixth 5:16	successfully 38:10	thawed 21:13	weaken 13:5,22,23
rules 4:15,24	slightly 41:23	superiorly 25:18 26:2,5,13,20 40:21 41:5	theoretically 13:23	weakness 39:1 40:17
run 21:2	slower 30:12 37:18	supposed 11:14	therapist 29:1,5,9 31:16 36:2 38:18 44:4,7	weave 21:15
rupture 8:5,18,19,21 10:2,4, 9,10,11,12 11:4,6 12:19 18:19 20:8 25:20 37:5,7 41:8,10 42:1	sort 10:17 35:5,22 36:14	surgeries 7:21 8:7 14:3 23:22 34:21 38:21	transfer 32:13,23	week 30:18 36:2,3 38:12
ruptured 9:2 18:7	Sources 4:9	surgery 5:11 7:12,23 8:4 14:10,11,20 15:11, 17 17:9 19:20 20:1, 2,4,5,14 22:24 23:14	treat 6:21	weeks 8:17 17:20 23:8 28:22 29:12 30:3 31:6 33:7 37:9
ruptures 9:15 10:21 17:19 18:2 41:20	specifically 24:14 40:4	27:5,23 28:5 31:22 33:23 34:2,4,5,8,14, 15,18,21 35:14,15 36:19 37:1,19 38:4 39:17 46:16	treatment 19:8	weight 18:12
Rush 32:19,21,23	spent 20:14	surgical 7:10 24:8 40:8,12	trigger 41:24	well-healed 28:23
S	split 13:21	swelling 28:24 41:9,17,22	tubercle 9:23	Wexford 4:8 6:13 23:18
Sandy 4:5	spoke 32:20	sworn 4:2 5:3	type 15:16 39:17,18 43:9	work 31:17
scar 20:14,20,22,23 21:20 24:5,7,9,15, 17,20,23,24 25:9,16, 17 26:4,7,8,9,10,11, 12,13,18,21 34:10 36:5	sports 5:13,14,24 6:4	synovial 20:19	typical 15:15	Worth 5:11,12
scarred 20:11 21:4	sports-related 6:1	system 7:2,3 30:15,16	typically 8:14,15 10:9,19 20:9 24:2 26:22 35:21 38:13 42:13,16,19, 20 43:3,6 45:5	wound 25:24 28:23
scarring 24:20,21 25:16 26:3	stage 35:14	T	U	wave 21:11
scars 20:11	staples 23:9 28:22,24	tailored 6:3	ultimately 40:9	wrist-forearm 6:6
Schade 4:6	start 4:22	takes 11:6	undergraduate 5:8	written 4:17 32:20 45:16 46:22
schedule 32:23	started 43:23	talk 34:15 42:24 43:8	understand 4:24 32:2	wrote 13:9,10 45:22
scheduled 7:22,24	stated 18:1	talked 44:13,18	understanding 14:23	X
school 5:9	states 32:24	talking 43:20	understands 32:22	X-RAY 16:6 41:5,22
science 38:12	Stateville 6:14 44:16		University 5:9,10,14	X-RAYS 20:15 40:11
	status 13:9 45:5		unstable 9:10	Y
	stays 20:11		unusual 30:23 31:18	year 5:12,16
	steps 35:19		upfront 4:24	years 5:11 6:20
	steroid 35:22		upwards 42:18	
	steroids 35:23		usual 38:11	

