

## Exhibit J

### Dr. Evadne Marcolini Deposition

*Vargas v. Cook County  
18 CV 1865  
Exhibits to Defendants Statement of Facts*

**Vargas**

***Marcolini Evadne***

**3/15/2021**

**Condensed Transcript**

**Prepared by:**

Bill Ragen  
CCSAO

Tuesday, August 31, 2021

<p>1 IN THE UNITED STATES DISTRICT COURT 2 FOR THE NORTHERN DISTRICT OF ILLINOIS 3 EASTERN DIVISION 4 5 LETICIA VARGAS, Administrator 6 of the Estate of Angel Cruz, 7 Plaintiff, 8 9 -vs- No. 18-cv-1865 10 Judge Steven Seeger 11 SHERIFF OF COOK COUNTY, 12 COUNTY OF COOK, et al., 13 Defendants. 14 15 16 17 18 19 DEPOSITION OF EVADNE MARCOLINI, M.D. 20 MARCH 15, 2021 21 3:00 P.M. 22 23 24 25 Shelley L. Marvin: CSR# 84-003926</p>	Page 1	Page 3 1 Deposition of EVADNE MARCOLINI, M.D., 2 taken on behalf of the Defendants via Zoom 3 videoconference at 3:00 P.M. on March 15, 2021, 4 before Shelley Marvin, CSR in and for the State of 5 Illinois, CSR License No. 84-003926. 6 Deposition taken pursuant to the evidentiary 7 provisions of the Illinois Code of Civil Procedure 8 and the Rules of the Supreme Court promulgated 9 pursuant thereto. 10 11 12 13 14 15 16 17 18 19 20 21 22 23 24 25
<p>1 INDEX 2 APPEARANCES: 3 For the Plaintiff and the Witness: 4 Kenneth N. Flaxman 5 Joel Flaxman 6 Kenneth N. Flaxman, P.C. 7 200 South Michigan Avenue 8 Suite 201 9 Chicago, Illinois 60604 10 jaf@kenlaw.com 11 12 For the Defendants Kimberly Foxx, State's Attorney 13 of Cook County: 14 William R. Ragen 15 Assistant State's Attorney 16 500 Richard J. Daley Center 17 Chicago, Illinois 60602 18 william.ragen@cookcountyl.gov 19 20 For the Defendant Sheriff of Cook County: 21 John Power 22 Frank Catania 23 Jorie Johnson 24 500 Richard J. Daley Center 25 Chicago, Illinois 60602 Francis.catania@cookcountyl.gov 26 27 EXAMINATION BY: PAGE 28 29 Mr. Ragen 5 30 Mr. Power 138 31 32 EXHIBITS: 33 34 Exhibit No. 1 5 35 Exhibit No. 2 6 36 Exhibit No. 3 7 37 Exhibit No. 4 9 38 Exhibit No. 5 10 39 Exhibit No. 6 70</p>	Page 2	Page 4 1 (Whereupon the deposition commenced at 2 3:01 P.M.) 3 EVADNE MARCOLINI, M.D., 4 the deponent herein, called as a witness, after 5 having been first duly sworn, was examined and 6 testified as follows: 7 MR. RAGEN: Usually there's like a 8 little preamble that all the parties agree to do 9 this deposition remotely in accordance with the, 10 you know, State and Federal Rules. So without 11 that, Ken, do you agree that this dep can be 12 performed remotely rather than in-person? 13 MR. FLAXMAN: Absolutely. 14 MR. RAGEN: Okay. Great, John, how 15 about you? 16 MR. POWER: (Indicating thumbs up.) 17 MR. RAGEN: I saw a thumbs up, but 18 didn't hear any words. 19 MR. POWER: Yeah, sorry. I was frozen. 20 Yes. 21 MR. RAGEN: Let the record reflect that 22 Ken Flaxman, on behalf of the Plaintiff, and John 23 Power, on behalf of the Sheriff, and William Ragen 24 on behalf of the County and a number of 25 individually named Defendants, have agreed to

<p>1 proceed remotely for this deposition.</p> <p>2 EXAMINATION</p> <p>3 BY MR. RAGEN:</p> <p>4 Q. Dr. Marcolini, how are you?</p> <p>5 A. I'm good. I'm just took my scrub hat</p> <p>6 off and ran from my shift, so I'm a little</p> <p>7 disappointed in my hair, but I think we'll get</p> <p>8 past that.</p> <p>9 Q. Well, I'm not disappointed in your hair.</p> <p>10 It looks great to me. Thanks for being here.</p> <p>11 So I don't do too many ground rules.</p> <p>12 But one is that from time to time I'm going to ask</p> <p>13 a question that you will not understand. Will you</p> <p>14 tell me if you do not understand my question?</p> <p>15 A. Yes.</p> <p>16 Q. If you answer a question that I ask, I</p> <p>17 will assume that you understood it. Is that fair?</p> <p>18 A. Yes.</p> <p>19 (Whereupon Deposition Exhibit 1 was</p> <p>20 marked for identification.)</p> <p>21 BY MR. RAGEN:</p> <p>22 Q. Okay. So we'll mark as Exhibit 1 -- and</p> <p>23 it's a little different because it's all</p> <p>24 electronic -- but I take it you have your opinions</p> <p>25 that you drafted in this case with you?</p>	Page 5	<p>1 entries for deposition. Does that appear correct?</p> <p>2 A. Oh, yes.</p> <p>3 Q. Okay.</p> <p>4 A. Yes.</p> <p>5 (Whereupon Deposition Exhibit 3 was</p> <p>6 marked for identification.)</p> <p>7 BY MR. RAGEN:</p> <p>8 Q. And then what I'll mark Exhibit 3 is</p> <p>9 your C.V., which is a 26-page document. Do you</p> <p>10 have that?</p> <p>11 A. I do.</p> <p>12 Q. Okay. Do you know if you have the</p> <p>13 version that was -- and again, this is a little</p> <p>14 bit speculative. But do you have your version in</p> <p>15 front of you?</p> <p>16 A. I do.</p> <p>17 Q. Okay. Is yours 26 pages?</p> <p>18 A. Yes, it should be 26 if there's one more</p> <p>19 page. Yes, it is 26 --</p> <p>20 Q. Okay.</p> <p>21 A. -- pages, yes.</p> <p>22 Q. And usually if you're going to update a</p> <p>23 C.V., you're not going to update things in the</p> <p>24 past. So the most likely things to be updated</p> <p>25 would be lectures or articles you wrote, right?</p>
<p>1 A. I do.</p> <p>2 Q. Okay. And it appears to be the six-page</p> <p>3 document dated February 16th, 2020?</p> <p>4 A. It -- I don't have the specific date on</p> <p>5 it, but it is six pages. Yes, dated</p> <p>6 February 16th, yes.</p> <p>7 Q. Okay. And then that'll be Exhibit 1.</p> <p>8 MR. RAGEN: Let me write this all down</p> <p>9 because if I don't do this systematically, I</p> <p>10 forget, and then Shelley's going to hate me</p> <p>11 forever and I don't want that. For the record,</p> <p>12 Shelley's the court reporter. Exhibit 1 will be</p> <p>13 your six-page report.</p> <p>14 (Whereupon Deposition Exhibit 2 was</p> <p>15 marked for identification.)</p> <p>16 BY MR. RAGEN:</p> <p>17 Q. Exhibit 2 will be -- you provided a list</p> <p>18 of cases you've been involved with, whether they</p> <p>19 be trial testimony and deposition or just</p> <p>20 deposition.</p> <p>21 A. Yes.</p> <p>22 Q. Do you have that in front of you?</p> <p>23 A. I do.</p> <p>24 Q. Okay. It appears to be a one-page</p> <p>25 document with three entries for trial and four</p>	Page 6	<p>1 A. Correct.</p> <p>2 Q. Okay. So if you look at the articles,</p> <p>3 which can be found on page 7, do you see that?</p> <p>4 A. Yes.</p> <p>5 Q. Is the most recent one the -- oh, wait.</p> <p>6 Are these chronological?</p> <p>7 A. It's the latest -- it's the oldest</p> <p>8 first.</p> <p>9 Q. Okay. So that's good. Then you don't</p> <p>10 have to keep changing the format. You can just go</p> <p>11 to the end. So if you go to the end, the most</p> <p>12 recent one is an article entitled, "The Timely</p> <p>13 Topic For Emergency Medicine," authored by Guth,</p> <p>14 Luber, yourself for the Journal of American</p> <p>15 College of Emergency Physicians?</p> <p>16 A. Yes.</p> <p>17 Q. Is that the most recent publication you</p> <p>18 have?</p> <p>19 A. That is.</p> <p>20 Q. Okay. I'm not -- we'll go through this</p> <p>21 a little, like a fair amount. But if some -- if</p> <p>22 we go through something that -- well, yeah, we'll</p> <p>23 just go through it. If we have a different</p> <p>24 version, which we probably shouldn't -- well, do</p> <p>25 you know if the version you're pulling up, is that</p>

<p style="text-align: right;">Page 9</p> <p>1 from your desk or from the Plaintiff's attorneys    2 e-mailing it back to you? Do you know?    3 A. My C.V.?    4 Q. Yeah.    5 A. My C.V. would be the one that's most    6 current that I sent to them.    7 Q. Okay, good. So this is the one that you    8 sent to them?    9 A. Correct.    10 Q. Okay, great. So then it's -- you know,    11 you update your C.V. probably whenever anything    12 relevant comes up, so I'm glad to know we have the    13 same version. And then that will be Exhibit 3.    14 (Whereupon Deposition Exhibit 4 was    15 marked for identification.)</p> <p>16 BY MR. RAGEN:</p> <p>17 Q. And then what I'll do Exhibit 4, there    18 is a document that's one page that is entitled    19 Materials Used By Expert. Do you have that?    20 A. I do.    21 Q. Okay. Is that something you prepared?    22 A. No. That was prepared by the attorneys.    23 Q. Okay. And does that appear to include    24 all the materials that you used in review of this    25 case?</p>	<p style="text-align: right;">Page 11</p> <p>1 A. Yes, that is correct.    2 Q. Again, that's one of my many problems; I    3 ask a lot of double negatives. So I'll try not    4 to, but I'll still do it anyway. So I'll    5 apologize in advance right now.    6 So for undergraduate you went to Wheaton    7 College?    8 A. Yes.    9 Q. And you graduated in 1983 --    10 A. Yes.    11 Q. -- with a Bachelor of Arts in economics    12 and business?    13 A. Yes.    14 Q. Okay. And then in 2004, you obtained    15 your medical degree from the University of    16 Vermont?    17 A. Yes.    18 Q. So that would have been from roughly    19 like 2000 to 2004, you were in medical school?    20 A. Yes.    21 Q. And then the first two years of that    22 would be didactic learning and the last two years    23 would be more practical learning?    24 A. Yes.    25 Q. And all of that learning was done in</p>
<p style="text-align: right;">Page 10</p> <p>1 A. It does indeed.    2 Q. If in the future we go through this    3 deposition and you think oh, yeah, there's some    4 other materials I reviewed that were relevant for    5 the basis of my opinions, let me know. Okay?    6 A. Okay.    7 (Whereupon Deposition Exhibit 5 was    8 marked for identification.)</p> <p>9 BY MR. RAGEN:</p> <p>10 Q. All right. And finally, Exhibit 5,    11 there's a Declaration, Section 1746 Declaration.    12 Do you have that?    13 A. I do.    14 Q. Okay. Is that your signature?    15 A. It is.    16 Q. Okay, great. So we'll just start. One    17 question, did you ever read the -- do you know of    18 a Daphne Glindmeyer?    19 A. Do I know of a Daphne Glindmeyer?    20 Q. Yes.    21 A. No.    22 Q. Okay. So I take it you've never read    23 Dr. Daphne Glindmeyer's report; is that fair?    24 A. No.    25 Q. Is that correct?</p>	<p style="text-align: right;">Page 12</p> <p>1 furtherance of obtaining a medical degree?    2 A. Yes.    3 Q. You performed a residency, correct?    4 A. I completed a residency, yes.    5 Q. And what years did you do your    6 residency?    7 A. 2004 to 2007.    8 Q. Can you tell us about what your    9 residency entailed?    10 A. It was emergency medicine residency. So    11 it was the clinical care and decision-making and    12 taking care of patients in the emergency    13 department. And there also were other rotations,    14 including cardiology, internal medicine, surgery.    15 Q. Infectious disease?    16 A. I don't know if I did a specific    17 rotation in infectious disease, but we certainly    18 learned about that in all the different rotations.    19 Q. For the setup of that residency, would    20 the rotation year be the first as other programs    21 are? Or can you tell me what it would have been?    22 A. When what would have been?    23 Q. So for example, like you were in    24 residency from, you know, probably June of 2004 to    25 June 2007; is that fair?</p>

<p style="text-align: right;">Page 13</p> <p>1 A. Residency would have gone from July 2004 2 to June of 2007.</p> <p>3 Q. Right. And I guess my question is you 4 mentioned some of the rotations. Do you know if 5 they would have been front loaded for when you 6 were a PGY-1 or would it have been later? Or do 7 you remember?</p> <p>8 A. Which rotations?</p> <p>9 Q. Any of them besides the emergency -- you 10 know, obviously, your residency was specializing 11 to become an emergency medicine physician, 12 correct?</p> <p>13 A. Correct.</p> <p>14 Q. And before that time, in medical school, 15 no one really specializes -- or strike that.</p> <p>16 When you were in medical school, you 17 weren't specializing in one area of medicine 18 versus the other; is that correct?</p> <p>19 A. Correct.</p> <p>20 Q. Okay. So your residency was designed to 21 have you learn about the -- about emergency 22 medicine, fair?</p> <p>23 A. Yes.</p> <p>24 Q. Okay. And then as part of the -- and 25 perhaps the reason you do rotations is because the</p>	<p style="text-align: right;">Page 15</p> <p>1 Q. And when you were doing those rotations, 2 were you on staff at the hospital?</p> <p>3 A. As a resident, we had a provisional 4 license. So we weren't technically on staff, but 5 we -- we had a provisional license.</p> <p>6 Q. And that provisional license would have 7 allowed you to practice in all those different 8 rotations, as per the typical residency program 9 for the emergency medicine at a Maine medical 10 center, correct?</p> <p>11 A. It allowed me to practice under the 12 direct supervision of board certified physicians.</p> <p>13 Q. Okay. And then the positions would be 14 either a medical doctor or doctor of osteopathy, 15 correct?</p> <p>16 A. Yes.</p> <p>17 Q. Okay. And in all those rotations, you 18 would have been practicing under the supervision 19 of a physician as a medical doctor, correct?</p> <p>20 A. True.</p> <p>21 Q. Okay. And then the next year, you did a 22 post-doctor fellow in surgical critical care?</p> <p>23 A. I did.</p> <p>24 Q. Okay. And can you tell me about that 25 program?</p>
<p style="text-align: right;">Page 14</p> <p>1 emergency medicine -- like emergency department 2 will deal with other departments?</p> <p>3 A. Well, we deal with other departments, 4 and we also take care of all the patients who come 5 into the hospital. That's the -- that's the 6 entryway to the hospital, is through the emergency 7 department for most patients. So we see all the 8 problems that come through, whether it's cardiac, 9 internal medicine, OB/GYN, et cetera. So we need 10 to know a lot about a lot of different 11 specialties.</p> <p>12 Q. Right. Okay. But I guess this is a 13 long way of just asking, can you tell me when your 14 rotations would have been within those three 15 years?</p> <p>16 A. Oh, no. Probably not. That's a while 17 ago.</p> <p>18 Q. Okay, yeah. And so we -- so what were 19 the rotations you can recall having done?</p> <p>20 A. Aside from emergency medicine, 21 cardiology. Of course, OB/GYN. I did a rotation 22 in toxicology, a rotation -- an away rotation in 23 trauma. I did rotations in surgery, pediatrics. 24 And I'm sure I'm forgetting something, but those 25 are the ones that I can remember.</p>	<p style="text-align: right;">Page 16</p> <p>1 A. This was a one-year surgical critical 2 care fellowship at the Adams Cowley Shock Trauma 3 Center where we focused on the critically ill 4 trauma patients.</p> <p>5 Q. Okay, great. I have to decide how much 6 to go through here. So currently, what -- can you 7 describe your practice currently?</p> <p>8 A. My current practice, my clinical 9 practice is 50 percent emergency medicine and 10 50 percent neuro critical care. So I practice in 11 the emergency department and I practice in the 12 neuro ICU.</p> <p>13 Q. Okay. And for how long has that setup 14 been the case?</p> <p>15 A. When I graduated from fellowship, I was 16 hired at the Shock Trauma Center, and I had 17 50 percent emergency medicine at University of 18 Maryland and 50 percent surgical critical care. I 19 worked there for two years. And I then 20 transferred up to Yale, where I worked initially 21 50 percent EM and 50 percent surgical critical 22 care.</p> <p>23 But soon after arriving there, they 24 asked me if I would help to build and develop a 25 neuro critical care unit, which I did. So for a</p>

<p>1 time, I was working 50 percent emergency medicine  2 and then a combination of neuro critical care and  3 surgical critical care. So I divided myself three  4 ways.</p> <p>5 Eventually I dropped the surgical  6 critical care and became 50 percent EM, 50 percent  7 neuro critical care. And since then that's what  8 I've done.</p> <p>9 Q. Okay. And in all those -- since, you  10 know, you finished your fellowship, whether it be  11 in the emergency medicine, the neuro critical care  12 or in the surgical critical care, you've been  13 practicing as a medical doctor, correct?</p> <p>14 A. Correct.</p> <p>15 Q. Okay. I'm looking at all the faculty  16 positions you've held. We've talked at some  17 length about all of those, this page 1 and 2 of  18 your C.V. Do you see that?</p> <p>19 A. I see it.</p> <p>20 Q. Okay. Is there anything terribly  21 relevant to add at this point?</p> <p>22 A. Not from my perspective.</p> <p>23 Q. Okay. And other positions and major  24 administrative positions held, it shows that from  25 2010 to 2014, you were the trauma liaison for the</p>	<p>Page 17</p> <p>1 Q. Right.  2 A. So I don't know if you're discriminating  3 that, but just to clarify.  4 Q. So for either way, it would be as a  5 medical director -- sorry, strike that. For  6 either way, these honors and awards would have  7 been as a medical -- as an M.D. or in furtherance  8 of obtaining your M.D., correct?</p> <p>9 A. Correct.</p> <p>10 Q. Okay. And then your keywords area of  11 interest, that -- does that show your, you know --  12 besides of all those things you're otherwise  13 qualified, what you have the most interest in as a  14 medical doctor?</p> <p>15 A. So this keywords areas of interest is  16 particular to the Geisel C.V. format.</p> <p>17 Q. Okay.</p> <p>18 A. When I structured this C.V., they want  19 -- they want you to include this keywords areas of  20 interest. So this is structured to reflect my  21 trajectory from my first year as an attending  22 through now to support the promotion to associate  23 professor and then to full professor. So it  24 certainly is a focus, but not complete.</p> <p>25 Q. Okay. On pages 3 and 4, you have your</p>
<p>1 Department of Emergency Medicine?  2 A. Yes.</p> <p>3 Q. And 2014 to 2018, you were the medical  4 director of the SkyHealth Critical Care  5 Aeromedical Service?</p> <p>6 A. Yes.</p> <p>7 Q. And that's through Yale?</p> <p>8 A. Yes.</p> <p>9 Q. Okay. Your honors and awards, the ones  10 you listed here are 2001 to 2018. Do you see  11 that?</p> <p>12 A. Yes.</p> <p>13 Q. Are those all the honors and awards that  14 would be relevant to -- potentially relevant to  15 the care -- to the testimony you're rendering here  16 today?</p> <p>17 A. Yes.</p> <p>18 Q. Okay. And they're all as a medical  19 doctor?</p> <p>20 A. Yes. Um, yes. Um, wait.</p> <p>21 Q. Go ahead.</p> <p>22 A. When you say in there all as a medical  23 doctor, in 2001, I was a medical student.</p> <p>24 Q. Okay.</p> <p>25 A. In 2003, I was a medical student.</p>	<p>Page 18</p> <p>1 professional service. Do you see that? Actually,  2 3, 4 and 5.</p> <p>3 A. Yes.</p> <p>4 Q. And do you hold all these positions as a  5 medical doctor?</p> <p>6 A. Yes.</p> <p>7 Q. And public service, are any of the  8 public service -- they seem awesome. I don't mean  9 to discredit them. But are any of the public  10 service items listed in your C.V. relevant to the  11 opinions you will be rendering today?</p> <p>12 A. No.</p> <p>13 Q. Okay. And the teaching awards that  14 you've obtained, it appears you get them every  15 three to four years, on page 6?</p> <p>16 A. It appears I got three.</p> <p>17 Q. Well, yeah. But you're going to get  18 another one?</p> <p>19 A. Four years apart.</p> <p>20 Q. You're not done yet, are you?</p> <p>21 A. No.</p> <p>22 Q. So there's probably going to be one.  23 But in any event, those three teaching awards,  24 what type of teaching were you providing?</p> <p>25 A. So for the teaching award from</p>

<p>1 University of Maryland, that was teaching to the  2 emergency department residents, medical students  3 and fellows. The National Junior Faculty Award  4 is, again, teaching to emergency medicine  5 residents, nurse practitioners, PAs, medical  6 students and fellows. And then the Excellence in  7 Teaching from Yale University Interdisciplinary  8 Center for Bioethics is teaching to international  9 and national students who go to a bioethics  10 seminar every summer. And I teach two seminar  11 courses each year at this bioethics seminar. One  12 of them is in the ethics of emergency medicine.  13 One of them is in the ethics of neurology or the  14 neurology of ethics.</p> <p>15 Q. Okay. And then you provide a nice  16 summary of your teaching activities. Do you see  17 that?</p> <p>18 A. Uh-huh. Yes, I do.</p> <p>19 Q. So it shows that you're performing  20 bedside and formal didactic teaching of medical  21 students, residents, fellows, advanced practice  22 providers and emergency medicine, surgical care  23 and neurosurgical critical care, correct?</p> <p>24 A. Yes.</p> <p>25 Q. So like medical students, that's people</p>	<p>Page 21</p> <p>1 Q. Okay. Same thing for the non-peer  2 reviewed publications, do any of those have any  3 specific relevance to your opinions that we're  4 going to talk about today?</p> <p>5 A. No.</p> <p>6 Q. Okay. You've written some chapters for  7 books or written books. You find that on pages 11  8 and 12 of your C.V.</p> <p>9 A. Yes.</p> <p>10 Q. Are any of those particularly or  11 specifically relevant to the opinions we're going  12 to discuss today?</p> <p>13 A. No, they're not.</p> <p>14 Q. Okay. You have invited presentations  15 here, whether they be international or regional.  16 Are any of these, which are found on pages 13  17 through 26, do you want to do a quick scan to see  18 if they're relevant to the opinions you're going  19 to give today? I'd appreciate it.</p> <p>20 A. (Reviewing) From my quick scan, no,  21 they do not appear to be.</p> <p>22 Q. If we, in the end, that we -- in all  23 fairness, I asked you to review, you know,  24 13 pages. If we -- you know, we're going to go  25 through a number of questions. And if that answer</p>
<p>1 that will be obtaining an M.D., correct?</p> <p>2 A. Yes.</p> <p>3 Q. And residents are people that have an  4 M.D. or a D.O. and are attempting to -- well,  5 they're going through their residency, correct?</p> <p>6 A. Correct.</p> <p>7 Q. And fellows are people with MDs or DOs  8 that are getting more advanced training, correct?</p> <p>9 A. Correct.</p> <p>10 Q. And advanced practice providers, that  11 would be like physician assistants or other types  12 of -- kind of hybrid providers that are practicing  13 under the supervision of a medical doctor or DO?</p> <p>14 A. Advanced practice includes nurse  15 practitioners and PAs that practice mostly under  16 the supervision of a physician. In some states,  17 as you probably know, they are gaining independent  18 practice in some areas. But the ones that I teach  19 are all working under the supervision -- direct  20 supervision of a physician.</p> <p>21 Q. Okay. I'm looking at your research and  22 scholarly activities. Are there any articles or  23 research support that's specifically relevant to  24 the opinions you're rendering today?</p> <p>25 A. No.</p>	<p>Page 22</p> <p>1 would change, like oh, I remember, you know, we  2 talked about X, DVTs or Heparin or whatever, and  3 it would be related to one of these invited  4 presentations, will you let me know?</p> <p>5 A. Yes.</p> <p>6 Q. Okay. You are board certified in  7 emergency medicine and critical care?</p> <p>8 A. I'm board certified in emergency  9 medicine and neuro critical care.</p> <p>10 Q. Okay, yeah, I see that. Sorry.</p> <p>11 A. Uh-huh.</p> <p>12 Q. And you were board certified in  13 emergency medicine in 2008 and recertified in  14 2019?</p> <p>15 A. Correct.</p> <p>16 Q. Is there any reason why -- well, is  17 there a 10-year recertification? Or is it 11 for  18 emergency medicine?</p> <p>19 A. It's 10 years for emergency medicine,  20 and they just changed it to a five-year process.</p> <p>21 Q. Yay.</p> <p>22 A. Hmm.</p> <p>23 Q. So was there -- from the 10-year  24 perspective from 2008 to 200 -- was there a period  25 when you were not board certified in emergency</p>

<p>1 medicine between like 2008 to 2019?</p> <p>2 A. No.</p> <p>3 Q. So the first chance you -- the first</p> <p>4 time you were required to recertify for the</p> <p>5 American Board of Emergency Medicine, you did so</p> <p>6 in 2019, correct?</p> <p>7 A. Correct.</p> <p>8 Q. And you passed your boards on the first</p> <p>9 try?</p> <p>10 A. The boards for -- yes, I passed my</p> <p>11 boards for emergency medicine on the first try.</p> <p>12 And for neuro critical care, I passed on the</p> <p>13 second try.</p> <p>14 Q. Okay. Thank you. And then you became</p> <p>15 board certified in neuro critical care in 2011,</p> <p>16 correct?</p> <p>17 A. Yes.</p> <p>18 Q. And that recertification should be</p> <p>19 coming up soon?</p> <p>20 A. It should be coming up soon. We're</p> <p>21 actually moving to an ABMS board certification</p> <p>22 process.</p> <p>23 Q. What's ABMS stand for?</p> <p>24 A. The American Board of Medical</p> <p>25 Subspecialties or Medical Specialties.</p>	<p>Page 25</p> <p>1 Q. Okay. Do you read any journals or keep</p> <p>2 up-to-date on any psychiatric journals?</p> <p>3 A. Not specifically.</p> <p>4 Q. Okay. We've covered your C.V. at some</p> <p>5 length, correct?</p> <p>6 A. Yes.</p> <p>7 Q. Have we covered all the training,</p> <p>8 experience, your teaching, at least from like a</p> <p>9 big picture standpoint, that's contained in your</p> <p>10 C.V.?</p> <p>11 A. I'm not sure what you're asking.</p> <p>12 Q. So was there anything in terms of</p> <p>13 training that you've obtained that's not listed in</p> <p>14 your C.V.?</p> <p>15 A. Probably not. My ATLS is in there. I</p> <p>16 mean, over the years, we all do -- we, being</p> <p>17 emergency physicians, do training in American</p> <p>18 Trauma Life Support. We do training in ENLS,</p> <p>19 Emergency Neurologic -- ENLS, Emergency Neurologic</p> <p>20 -- something problems. I can't think of what it</p> <p>21 stands for. But there are other courses that we</p> <p>22 go through or maybe review courses. I can't tell</p> <p>23 you that everything that I've done is on this C.V.</p> <p>24 Q. Okay.</p> <p>25 A. I'm not sure what specifically you're</p>
<p>1 Q. So then what impact does that have to do</p> <p>2 on a typical 10-year recertification timeframe?</p> <p>3 A. So for -- for the first, yes, my</p> <p>4 recertification should come up in '21, '22. And I</p> <p>5 will have to choose whether I'm going to continue</p> <p>6 to be board certified with UCNS or go with ABMS.</p> <p>7 I will probably go with both.</p> <p>8 Q. Okay. What journals do you subscribe</p> <p>9 to?</p> <p>10 A. I receive the American -- the Annals of</p> <p>11 Emergency Medicine. I receive the Journal of</p> <p>12 Emergency Medicine and the American Journal of</p> <p>13 Emergency Medicine.</p> <p>14 Q. Okay. And there's, I'm sure, other ones</p> <p>15 you review from time to time, correct?</p> <p>16 A. Yes.</p> <p>17 Q. And there's other ones you publish in</p> <p>18 from time to time?</p> <p>19 A. Yes.</p> <p>20 Q. Okay. Were those the three that you</p> <p>21 look at most frequently, correct?</p> <p>22 A. Correct.</p> <p>23 Q. Okay. And all those are emergency</p> <p>24 medicine journals, correct?</p> <p>25 A. Yes.</p>	<p>Page 26</p> <p>1 looking for.</p> <p>2 Q. Well, in terms of the training you</p> <p>3 receive, like those two things you mentioned that</p> <p>4 perhaps aren't on your C.V., would they be</p> <p>5 training you received as a medical doctor?</p> <p>6 A. Yes.</p> <p>7 Q. Okay. And all of your experience as a</p> <p>8 healthcare professional, is that listed in your</p> <p>9 C.V. in terms of practicing or teaching?</p> <p>10 A. The greater part of it, yes.</p> <p>11 Q. Okay. If there's something that we go</p> <p>12 through in this deposition and that's in terms of</p> <p>13 teaching that's not, you know, listed in your</p> <p>14 C.V., but it comes up and becomes relevant, would</p> <p>15 you let me know?</p> <p>16 A. Yes.</p> <p>17 Q. Okay. And all of the -- strike that.</p> <p>18 When were you first retained by Mr. Flaxman?</p> <p>19 A. That was, I believe, in December of</p> <p>20 2020.</p> <p>21 Q. Okay. Looking at -- now turning to</p> <p>22 Exhibit 4, that's the page which lists all of the</p> <p>23 materials used by you. Do you see that?</p> <p>24 A. I do.</p> <p>25 Q. Okay. And you were able to render --</p>

<p style="text-align: right;">Page 29</p> <p>1 your opinions are based on what you reviewed from 2 these materials, correct? 3 A. Correct. 4 Q. Were you able -- was there -- were you 5 lacking any materials? Were there any materials 6 that you wish you would have received, but didn't 7 receive? 8 A. Not that I can think of. 9 Q. Okay. And going through those 10 materials, you received an autopsy report by 11 Dr. Sozio? 12 A. I did. 13 Q. You received the Countryside Police 14 records? 15 A. Yes. 16 Q. The Cook County Jail medical records? 17 A. Yes. 18 Q. Deposition of Helen Kanel? 19 A. Yes. 20 Q. Deposition of David Kelner? 21 A. Yes. 22 Q. Deposition of Cherri Krzyzowski? 23 A. Yes. 24 Q. Deposition of Elizabeth Lassen? 25 A. Yes.</p>	<p style="text-align: right;">Page 31</p> <p>1 Q. Okay. You may or may not know the 2 distinction between the two. In my understanding, 3 Cermak Health Services is a part of -- it's one 4 entity within -- well, strike that. 5 You reviewed the Medical Examiner's 6 report? 7 A. Yes. 8 Q. The root cause analysis? 9 A. Yes. 10 Q. The St. Anthony Hospital records? 11 A. Yes. 12 Q. And is that two Sheriff's incident 13 reports or just one typed twice? 14 A. It's one. 15 Q. Okay. So we've covered all the 16 materials that you reviewed in connection with 17 rendering opinions in this case, correct? 18 A. Yes. 19 Q. Okay. Can you tell me the individuals 20 you believe deviated from the standard of care in 21 caring for Mr. Cruz? 22 A. Yes. I'm going to refer to my 23 statement, my opinion. 24 Q. Sure. Yeah, of course. 25 A. So this would include --</p>
<p style="text-align: right;">Page 30</p> <p>1 Q. Deposition of Steve Paschos? 2 A. Yes. 3 Q. Deposition of Ravi Yalamanchi? 4 A. Yes. 5 Q. Deposition of Hythem Zayed? 6 A. Yes. 7 MR. RAGEN: And for Shelley's 8 perspective, because she can't see it, Ravi 9 Yalamanchi is spelled R-a-v-i, 10 Y-a-l-a-m-a-n-c-h-i. And Hythem Zayed is 11 H-y-t-h-e-m, Z-a-y-e-d. And you don't have to 12 answer that. That's just for Shelley. 13 BY MR. RAGEN: 14 Q. Then you reviewed the Hinsdale Hospital 15 records, correct? 16 A. Yes. 17 Q. The jail restraint policies? 18 A. Yes. 19 Q. And those are the policies that 20 pertained to Cermak Health Services? 21 A. Yes. 22 Q. Okay. So when you say Cermak Health 23 Services, do you understand that, generally 24 speaking, to be consistent with Cook County Jail? 25 A. Yes.</p>	<p style="text-align: right;">Page 32</p> <p>1 Q. Sorry about that. So the individuals 2 you believe deviated from the standard of care? 3 A. Yes. Dr. Paschos. 4 Q. Okay. 5 A. Nurse Chatman. Nurse Manlastas. Nurse 6 Krzyzowski. 7 Q. Do you believe Dr. Zayed deviated from 8 the standard of care? 9 A. Now, Dr. Zayed was at Hinsdale Hospital, 10 correct? 11 Q. They're your opinions. 12 A. I'm asking you if this is the Dr. Zayed 13 you're referring to. 14 Q. Well, they're the doctors -- I had you 15 review his deposition. In reviewing his 16 deposition, do you -- and he was practicing at 17 Hinsdale Hospital. At least from my 18 understanding. I wasn't there either. But -- 19 A. No, I don't believe Dr. Zayed deviated 20 from the standard of care. 21 Q. Okay. Same thing with Dr. Yalamanchi, 22 who provided care at Hinsdale Hospital, do you 23 believe she deviated from the standard of care? 24 A. No, I do not. 25 Q. Okay. Does the standard of care for</p>

<p style="text-align: right;">Page 33</p> <p>1 Drs. Paschos, Zayed or Yalamanchi differ in any 2 way?</p> <p>3 A. No.</p> <p>4 Q. Okay. Did you render an opinion that 5 Nurse Aiste Barkauskaite deviated from the 6 standard of care?</p> <p>7 A. I don't know -- I don't recall a nurse 8 of that name. I can't pronounce it.</p> <p>9 Q. Okay. Same thing with a Nurse Meghan 10 Weger, did you develop an opinion that Nurse 11 Meghan Weger deviated from the standard of care?</p> <p>12 A. I don't recall a Nurse Meghan Weger.</p> <p>13 Q. Okay. Same thing for a Tiago Soltes. 14 This will be the last one, I think. Do you have 15 anything that Nurse Tiago Soltes has deviated from 16 the standard of care?</p> <p>17 A. I don't recall Nurse Tiago Soltes.</p> <p>18 Q. Okay. It sounds like you don't -- the 19 names Aiste Barkauskaite, Tiago Soltes, and Meghan 20 Weger, they don't ring a bell to you as you sit 21 here today, correct?</p> <p>22 A. Not right now, no.</p> <p>23 Q. Is it true that the standard of care -- 24 like so, for example, medical literature by and 25 large is instructive on a physician's</p>	<p style="text-align: right;">Page 35</p> <p>1 Q. Okay. Is the standard of care a 2 national standard?</p> <p>3 A. It is a -- it is a national, at least a 4 national standard, if not international.</p> <p>5 Q. Okay. Right. I mean, true. So within 6 the United States of America --</p> <p>7 A. Uh-huh.</p> <p>8 Q. -- the standard of care for a reasonably 9 careful physician won't differ from state to 10 state, generally speaking, right?</p> <p>11 A. Correct.</p> <p>12 Q. And certain states may have heightened 13 requirements on certain subjects; but that would 14 be the exception, correct?</p> <p>15 A. Can you repeat that question?</p> <p>16 Q. Well, I didn't want to like box you in. 17 Because there are certain requirements, let's just 18 say, that exist in California, you know, that in 19 terms of like psychiatry that don't exist in 20 Louisiana, just so basically -- so I'll -- strike 21 that.</p> <p>22 Your understanding is that the standard 23 of care for a reasonable careful physician is the 24 same throughout the U.S., correct?</p> <p>25 A. Correct.</p>
<p style="text-align: right;">Page 34</p> <p>1 understanding of the standard of care, but usually 2 literature does not create the standard of care; 3 is that correct?</p> <p>4 MR. FLAXMAN: Let me object to the form 5 of the question.</p> <p>6 MR. RAGEN: As vague?</p> <p>7 MR. FLAXMAN: It doesn't make a whole 8 lot of sense as to what you're asking.</p> <p>9 MR. RAGEN: I was going to say I would 10 object to that question as vague, so I'll ask a 11 different one.</p> <p>12 BY MR. RAGEN:</p> <p>13 Q. This is a general concept. But we'll go 14 -- can you define what the standard of care is?</p> <p>15 A. The standard of care is the standard by 16 which a physician -- if we're talking about a 17 standard -- a standard by which a physician 18 provides evaluation, assessment and care for a 19 patient that is accepted by the -- by the general 20 medical community and medical experts.</p> <p>21 Q. Okay. If I were to define it as what a 22 reasonably careful physician would do under the 23 same or similar circumstances, would that be 24 consistent with your understanding?</p> <p>25 A. That would.</p>	<p style="text-align: right;">Page 36</p> <p>1 Q. Okay. Would you agree that a number of 2 different materials can assist you in developing 3 the standard of care, meaning the care -- I mean, 4 the training you received as a resident and a 5 fellow is helpful in developing your understanding 6 of the standard of care of a reasonably careful 7 physician?</p> <p>8 A. Yes.</p> <p>9 Q. Medical literature, that also will be 10 instructive in developing the standard of care of 11 a reasonably careful physician?</p> <p>12 A. Yes.</p> <p>13 Q. Policies and procedures, are those, you 14 know, informative in terms of developing what the 15 standard of care -- what a reasonably careful 16 physician should do under the same or similar 17 circumstances?</p> <p>18 MR. FLAXMAN: Let me object to the form 19 of the question because it's unclear whose 20 policies and procedures you're talking about.</p> <p>21 MR. RAGEN: I'm speaking generally.</p> <p>22 MR. FLAXMAN: I'm going to take a break 23 and shut up my dog.</p> <p>24 MR. RAGEN: Okay. Let's take a quick 25 break.</p>

<p>1 (Whereupon a brief break was taken.)</p> <p>2 BY MR. RAGEN:</p> <p>3 Q. So medical -- so let's go back to</p> <p>4 medical literature. We were just on policies and</p> <p>5 procedures. Would you agree in developing -- so</p> <p>6 for example, you've written a number of peer</p> <p>7 reviewed medical articles, correct?</p> <p>8 A. Yes.</p> <p>9 Q. Okay. And from time to time -- well,</p> <p>10 some of these articles compare two different</p> <p>11 treatment modalities; is that correct?</p> <p>12 A. Yes.</p> <p>13 Q. Okay. When you are employing -- when</p> <p>14 you are looking at two different treatment</p> <p>15 modalities and you're writing an article about</p> <p>16 which is perhaps more efficacious, isn't it true</p> <p>17 that you would not select a treatment modality</p> <p>18 that would be a deviation from the standard of</p> <p>19 care; is that correct?</p> <p>20 A. Well, when you're writing about two</p> <p>21 treatment modalities and comparing them, you might</p> <p>22 be writing about a novel treatment that's new and</p> <p>23 that's why you're comparing it to something that</p> <p>24 has been the standard of care.</p> <p>25 Q. Right.</p>	<p>Page 37</p> <p>1 a treatment modality that would be within the</p> <p>2 standard of care. Okay?</p> <p>3 Assuming that hypothetical, when you</p> <p>4 were providing the treatment -- when you were</p> <p>5 treating patients to determine whether A, B and C</p> <p>6 were more effective, it's not like at that point</p> <p>7 in time B and C were provided that treatment was a</p> <p>8 deviation from the standard of care for those</p> <p>9 patients; is that fair?</p> <p>10 MR. FLAXMAN: Let me object to the form</p> <p>11 of the question. It's difficult to figure out</p> <p>12 what your question is.</p> <p>13 BY MR. RAGEN:</p> <p>14 Q. Did you understand it, Dr. Marcolini?</p> <p>15 A. I agree, it's challenging to understand</p> <p>16 the specific question.</p> <p>17 Q. Okay. Would you agree that -- do you --</p> <p>18 what hospitals are you credentialed to provide</p> <p>19 treatment at currently?</p> <p>20 A. Currently, Dartmouth-Hitchcock Medical</p> <p>21 Center and Alice Peck Day Hospital.</p> <p>22 Q. How do you spell that?</p> <p>23 A. Alice is as in the name Alice,</p> <p>24 A-l-i-c-e, and Peck is P-e-c-k, and then Day is</p> <p>25 D-a-y.</p>
<p>1 A. So...</p> <p>2 Q. And that literature might improve the</p> <p>3 standard of care and change it in a certain way.</p> <p>4 I mean, I know it's a vague question. I guess my</p> <p>5 point is when people write medical -- when people</p> <p>6 draft articles for peer reviewed publications,</p> <p>7 they generally do not pick modalities -- treatment</p> <p>8 modalities that per se are deviations from the</p> <p>9 standard of care?</p> <p>10 A. Well --</p> <p>11 Q. Is that true?</p> <p>12 A. Well, when you write an article that</p> <p>13 you're trying to show that one therapy is either</p> <p>14 inferior to, equal to, or superior to another</p> <p>15 therapy, sometimes the therapy that you're writing</p> <p>16 about or that you're researching or studying is</p> <p>17 not part of the standard of care. And that's how</p> <p>18 we develop new therapies, is by asking the</p> <p>19 question, you know.</p> <p>20 Q. Right. So let's just say that. So you</p> <p>21 talk about a situation where you're going to look</p> <p>22 at two or three different treatment modalities,</p> <p>23 right? Through the research, you realize</p> <p>24 treatment modality A is the best and then that</p> <p>25 would make B and C no longer part of -- no longer</p>	<p>Page 38</p> <p>1 Q. Do those hospitals have policies and</p> <p>2 procedures concerning restraining a patient?</p> <p>3 A. Yes.</p> <p>4 Q. And did they back in March 2016?</p> <p>5 A. Yes.</p> <p>6 Q. Are you familiar with those policies and</p> <p>7 procedures from what they were in March 2016?</p> <p>8 A. No.</p> <p>9 Q. You'd have to look at the policy and</p> <p>10 procedures from both Dartmouth and Alice Peck to</p> <p>11 be certain what those policies and procedures say?</p> <p>12 A. Correct.</p> <p>13 Q. Were those policies and procedures --</p> <p>14 are you familiar with the policies and procedures</p> <p>15 at Dartmouth and Alice Peck concerning restraints</p> <p>16 that are active today?</p> <p>17 A. Yes.</p> <p>18 Q. Are they the same policy and procedure?</p> <p>19 A. So I know the policies at</p> <p>20 Dartmouth-Hitchcock. I am credentialed at Alice</p> <p>21 Peck Day Hospital because during Covid, we all</p> <p>22 became credentialed at that hospital in case we've</p> <p>23 needed to work there, as well. I've never worked</p> <p>24 there, so I'm not distinctly familiar with those</p> <p>25 policies. But inasmuch as we're affiliated, these</p>

<p>1 are affiliated, this is an affiliated hospital 2 with Dartmouth, I imagine it would be the same. 3 Q. The exact same? 4 A. I imagine it would be the same. I do 5 not -- do not have specific knowledge of the Alice 6 Peck Day protocols. 7 Q. Okay. Do you have the Hinsdale Hospital 8 policies and procedures on restraints? 9 A. Let me see. I don't know if I have the 10 Hinsdale policies. I'm looking through the chart 11 for them. But if you have access to that, I don't 12 know that I have them or not. 13 Q. Okay. But either way, since you can't 14 recall obtaining them and you can't recall what 15 they look like, I would take it it's true that 16 they're not -- you're not using those Hinsdale 17 Hospital policies and procedures to render -- to 18 develop your opinions in this case; is that 19 correct? 20 A. Well, the Hinsdale Hospital policies 21 would be what they were operating under at 22 Hinsdale Hospital. So specifically, no. 23 Q. Right. So I'm sorry, so seeing as you 24 can't recall having the Hinsdale Hospital policies 25 and procedures, I take it it's not part of the</p>	<p>Page 41</p> <p>1 A. No. 2 Q. Okay. If we go through them and you 3 determine oh, yes, this particular instance of -- 4 you know, this finding or this conclusion is, in 5 part, based on the Hinsdale Hospital policies and 6 procedures, would you let me know? 7 A. Yes. 8 Q. Okay. Isn't it true that the policies 9 and procedures aren't always the same as the 10 standard of care? 11 A. Which policies and procedures? 12 Q. Well, I'm just saying like so for the 13 Cermak Health Services, you reviewed the policies 14 and procedures that were in effect from 2016? 15 A. Yes. 16 Q. And is that the standard of care? 17 A. Are the policies -- I'm going to pull 18 them up and look at them. 19 Q. So you're looking at them just to 20 determine whether or not the policies are 21 consistent with the standard of care, as you 22 understand it, correct? 23 A. I'm looking through them just to see 24 what you're specifically asking about. 25 Q. So my first question was general. Do</p>
<p>1 opinions that you've rendered in this case; is 2 that correct? 3 MR. FLAXMAN: I'll object to the form of 4 the question. It's not that what is not part of 5 the opinions? 6 MR. RAGEN: Okay, I'll ask it 7 open-ended. 8 BY MR. RAGEN: 9 Q. Are the Hinsdale Hospital policies and 10 procedures, do they assist you in developing your 11 opinions in this case? 12 A. So I don't recall specifically where the 13 Hinsdale Hospital policies and procedures are. I 14 don't know if they're specifically a part of my 15 opinion. 16 Q. Right. But I mean, they're your 17 opinions, right? 18 A. Yes. 19 Q. You're the one that wrote them and 20 they're in your brain? 21 A. Yes. 22 Q. Is there anything that's in your 23 six-page document that you use -- that you form 24 the basis on by and through the Hinsdale Hospital 25 policies and procedures?</p>	<p>Page 42</p> <p>1 policies and procedures of a hospital, do they 2 create the standard of care? 3 A. No. 4 Q. Okay. And many times they're consistent 5 with the standard of care; is that correct? 6 A. Hopefully they are consistent with 7 standard of care. 8 Q. Right. Exactly. It's like that's the 9 goal of your policies and procedures is to be 10 consistent with the standard of care, correct? 11 A. Yes, yes. 12 Q. And your opinions, part of them are -- 13 give you some examples. Like if you look at 14 page 4 of your opinions, the last sentence -- 15 well, I'll read the last couple sentences. Let me 16 know if I'm reading correctly. During his entire 17 stay at the jail -- strike that. I'm referring to 18 -- I'm going to -- when it says his, I'm going to 19 read in Mr. Cruz's name. Okay? 20 A. And where are you reading from? Page 4? 21 Q. Page 4, middle paragraph, halfway up 22 starting, During. 23 A. Okay. 24 Q. During Mr. Cruz's entire stay at the 25 jail, he was not prescribed nor given any</p>

<p>1 medication to reduce the risk of clot formation.    2 The fact that Angel was morbidly obese and kept in    3 four point chest restraints for 17.5 hours created    4 an increased risk of clot formation which is    5 likely to lead to embolus formation with clot    6 traveling downstream to the lungs and causing    7 pulmonary embolus, which was the cause of Angel's    8 untimely death. Not giving him medication or    9 executing appropriate limb release, either of    10 which could mitigate the risk of clot formation,    11 does not reach the standard of care and is    12 medically unreasonable. Do you see that?</p> <p>13 A. Yes.</p> <p>14 Q. And that's your opinion?</p> <p>15 A. Yes.</p> <p>16 Q. And that's a standard of care opinion    17 that you have?</p> <p>18 A. Yes.</p> <p>19 Q. And whether or not Stroger's policy and    20 procedure are like dead on with this, your opinion    21 to the standard of care is what the standard of    22 care is, correct?</p> <p>23 MR. FLAXMAN: Let me object to the form    24 of the question. It's sort of -- it assumes facts    25 -- or it asks -- it's compound as to whether or</p>	<p>Page 45</p> <p>1 Q. And there's nothing in those policies    2 and procedures that struck you as deficient; is    3 that correct?</p> <p>4 A. I wasn't reading the policies and    5 procedures to look for deficiencies. So I'd have    6 to review them more closely to look for    7 deficiencies. What specifically are you asking    8 about?</p> <p>9 Q. When you read them, did any deficiencies    10 to the policies and procedures stand out, the    11 Cermak policies and procedures from March 2016?</p> <p>12 A. Nothing stood out to me --</p> <p>13 Q. Okay.</p> <p>14 A. -- in particular.</p> <p>15 Q. If when we go through this deposition,    16 you know, we're referring to policies and    17 procedures and you say oh, yeah, this part of the    18 policy and procedure is not good, would you let me    19 know?</p> <p>20 A. Yes.</p> <p>21 Q. And if we go through some of the    22 policies and procedures of Cermak in this    23 deposition and you say oh, this part would be a    24 deviation from the standard of care, would you let    25 me know?</p>
<p>1 not. And it's also got Stroger, which I don't    2 think we've introduced into the case yet.</p> <p>3 BY MR. RAGEN:</p> <p>4 Q. Can you answer the question,    5 Dr. Marcolini, or not?</p> <p>6 A. I'm unclear as to what you're really    7 asking.</p> <p>8 Q. So what I'm really asking is -- so we    9 established, generally speaking, that policies and    10 procedures don't create the standard of care; but    11 that, generally speaking, they should be    12 consistent with the standard of care, correct?</p> <p>13 A. Generally speaking, we'd hope that    14 they're consistent with standard of care, yes.</p> <p>15 Q. Did you see -- did you review the Cermak    16 policies and procedures concerning restraints?</p> <p>17 A. I did.</p> <p>18 Q. And did that seem to be acceptable,    19 appropriate and reasonable?</p> <p>20 A. They did seem to be appropriate and    21 reasonable.</p> <p>22 Q. Okay. And they would help guide    23 healthcare practitioners providing care at Cermak    24 to restrain patients at the jail, right?</p> <p>25 A. Yes.</p>	<p>Page 46</p> <p>1 A. Yes.</p> <p>2 Q. And if we go through the deposition and    3 we're going through the Cermak Health Services    4 policies and procedures and you say, you know,    5 this would be unreasonable, would you let me know?</p> <p>6 A. Yes.</p> <p>7 Q. Would you agree that reasonable care is    8 consistent with the standard of care?</p> <p>9 A. Reasonable care should be consistent    10 with the standard of care, yes.</p> <p>11 Q. Okay. If we go through your deposition    12 and we come to a circumstance where we're talking    13 about something that would be unreasonable care,    14 but still fall within the -- would still comply    15 with the standard of care, would you let me know?</p> <p>16 A. Unreasonable?</p> <p>17 Q. Yeah. So like for example, I was asking    18 whether if the care is deemed reasonable,    19 generally speaking. That would be synonymous with    20 complying with the standard of care, correct?</p> <p>21 A. The reasonable care, yes, generally    22 complies with the standard of care.</p> <p>23 Q. So if we go through your deposition and    24 there's a difference, you have to draw a    25 distinction like, you know, Dr. Paschos doing this</p>

<p style="text-align: right;">Page 49</p> <p>1 complied with the standard of care, but it wasn't    2 reasonable, I don't think that's going to happen,    3 but if it does happen, would you let me know?    4 A. If he complied with the standard of    5 care, but it was not reasonable?    6 Q. Yeah.    7 A. Okay.    8 Q. You doubt that's going to happen, right?    9 A. I don't know. I'm -- by the definitions    10 that we've spoken about, I'm not sure what you're    11 getting at. It's --    12 Q. Yeah, I just want to know, for you,    13 there's not much of a distinction between    14 complying with the standard of care and providing    15 reasonable care, correct?    16 A. There shouldn't be.    17 Q. Okay. If we go through this deposition    18 and you find that there should be a distinction    19 between the two, will you let me know?    20 A. Yes.    21 Q. Okay. Can you tell me what Heparin is?    22 A. Heparin is an anticoagulant medication.    23 Q. Does the standard of care require that    24 Mr. Cruz receive Heparin while he was restrained?    25 A. While Mr. Cruz was restrained, he should</p>	<p style="text-align: right;">Page 51</p> <p>1 have prevented it from propagating by either limb    2 release or Heparin.    3 Q. Okay. The two modalities that could    4 have been employed to stop Mr. Cruz from    5 developing DVTs were limb release and Heparin,    6 correct?    7 A. Those are two modalities, yes.    8 Q. Are there any other modalities that you    9 say should have been employed to reduce the chance    10 Mr. Cruz would have developed DVTs?    11 A. Well, if he had been able to ambulate,    12 that would have been something that could have    13 prevented him from having DVT that propagated.    14 Q. Okay. But when you're restraining him,    15 you're not going to have him ambulate, right?    16 A. Exactly.    17 Q. So in the period of restraint, the two    18 modalities that you opined could have been    19 employed to avoid a DVT are limb release and    20 Heparin, correct?    21 A. Those are the two that could have    22 reasonably been employed.    23 Q. Okay. While he was restrained, right?    24 A. Yes.    25 Q. Okay. And he was restrained both at</p>
<p style="text-align: right;">Page 50</p> <p>1 have received limb release as per the protocol.    2 He could have received Heparin, and that would    3 have been appropriate. And he did receive    4 Heparin, as you know, at Hinsdale Hospital. So...    5 Q. I don't know that.    6 A. Okay.    7 Q. When did he first receive Heparin --    8 well, strike that.    9 Could the standard of care be met if --    10 I'll just say -- strike that. If all the -- okay.    11 So what you were saying in terms of --    12 your opinion is that Mr. Cruz developed DVTs and    13 then developed a pulmonary embolus, correct?    14 A. Yes.    15 Q. And your opinion is that the DVTs were    16 caused by either the failure to administer Heparin    17 or the failure to move his limbs, which would have    18 increased his mobility, correct?    19 A. The DVT was likely caused by immobility.    20 Q. Okay.    21 A. Immobility is when he was restrained.    22 Q. Right.    23 A. It could have been prevented by limb    24 release. And it could have been prevented by    25 Heparin. If they had been present, they could</p>	<p style="text-align: right;">Page 52</p> <p>1 Hinsdale and at Cermak Health Services, correct?    2 A. Correct.    3 Q. Who put in the order to restrain him at    4 Hinsdale Hospital?    5 A. Let's see. The resident physician, but    6 I don't know his name.    7 Q. Do you have the medical records in front    8 of you?    9 A. I can pull them up.    10 Q. Yeah, please do. Let the record reflect    11 it's 4:11.    12 If you could look at the records to    13 determine who put the order to restrain Mr. Cruz,    14 that would be great.    15 A. (Reviewing) It looks as if the ordering    16 physician for the restraints on 3/13 at 043 was    17 ordered by Dr. Yalamanchi.    18 Q. Okay. What page are you looking at?    19 A. I'm on page 101.    20 Q. Is that on the L. Vargas numerating --    21 numerical system or the so and so of 294?    22 A. I have 101 of 362.    23 Q. Oh, okay.    24 A. On the chart itself, it says page 100 of    25 360.</p>

<p style="text-align: right;">Page 53</p> <p>1 Q. Man, I have a different record. So what 2 time was it? March 12th at 0 -- 3 A. No. March 13th at 043. 4 Q. What is the subject heading of the 5 order? 6 A. I says CPOE orders. And you know, this 7 is -- 8 Q. Yeah, I can probably get there. I see 9 it. So okay. So Dr. Yalamanchi initiated the 10 need for restraint, correct? 11 A. Dr. Yalamanchi -- 12 Q. Orders restraints? 13 A. -- ordered the restraints, yes. 14 Q. So Dr. Yalamanchi ordered restraints at 15 12:43 A.M. on March 13th, correct? 16 A. Yes. 17 Q. And do you believe -- does the standard 18 of care -- why would a patient be restrained? Do 19 you know? 20 A. Patients are typically restrained 21 because they're violent, either to self or to 22 others, and not redirectible by other means. 23 Q. Okay. Is it important to document 24 whether the person is a danger to themselves or 25 others in initiating restraints?</p>	<p style="text-align: right;">Page 55</p> <p>1 A. No. 2 Q. Okay. And why not? 3 A. Because -- because he's being put in 4 restraints. So why would I -- if that's the first 5 time he's being put in restraints -- 6 Q. Right. 7 A. -- my opinion then, it's not -- I'm not 8 sure what you're asking again. Sorry. 9 Q. Yeah, that's okay. You had mentioned 10 that giving some type of anticoagulant would be 11 one of the modalities to decrease the chance that 12 Mr. Cruz would develop a DVT, correct? 13 A. Yes. 14 Q. Especially when -- and especially in the 15 setting of being restrained, correct? 16 A. Correct. 17 Q. Okay. And so do you know, do you know 18 when the first order at Hinsdale Hospital was for 19 Mr. Cruz to obtain Heparin? 20 A. Not off the top of my head. I can look 21 through the chart and find it. 22 Q. I can find it for you. Well, see, my 23 chart, I -- I don't know, would you be able to 24 e-mail your chart to Ken, who could e-mail it to 25 me?</p>
<p style="text-align: right;">Page 54</p> <p>1 A. It's informative to document that. 2 Q. Does the standard of care require that 3 the physician indicate whether the patient's a 4 danger to themselves or others in employing 5 restraints? 6 A. I don't know if the standard of care 7 requires that. 8 Q. Okay. In this case, your testimony is 9 that on March 13th at 12:43 A.M., Mr. Cruz had 10 obtained Heparin at that time? 11 A. Wait, we are saying that he was put in 12 restraints. 13 Q. Yeah, yeah. So we're talking about that 14 time. On March 13th at 12:43 A.M., that's the 15 time the restraints are initiated, from your 16 review of the records, correct? 17 A. Yes. 18 Q. At that point in time, had Mr. Cruz 19 obtained Heparin? 20 A. I don't know. 21 Q. Okay. 22 A. I'm going to have to -- 23 Q. Would that be relevant to your opinions; 24 whether or not he had received a dose of Heparin 25 at that time?</p>	<p style="text-align: right;">Page 56</p> <p>1 MR. RAGEN: And I think, Ken, a little 2 bit of what it was was Hinsdale has two versions 3 of the chart. And I'll let Frank or John chime in 4 because my version of the Hinsdale Hospital 5 records is 294 pages. So I just want to make sure 6 we have the same version. 7 A. Can I e-mail mine? 8 Q. Yeah. Don't e-mail it to me, though. 9 E-mail it to Ken. Or is Joel still here? 10 MR. FLAXMAN: No, Joel's not here. 11 MR. RAGEN: Okay. And we can keep 12 going. But once Ken gets it, because the thing is 13 I don't want -- you shouldn't have to be involved 14 in like the annoying lawyerly part of whether the 15 document is the right -- the same one. 16 THE WITNESS: No worries. 17 MR. RAGEN: Yeah, yeah, yeah. All 18 right. 19 BY MR. RAGEN: 20 Q. So talking about the standard of care, 21 so at 12:43, March 13th, Mr. Cruz was restrained, 22 correct? 23 A. 12:43, March 13th, yes, he was 24 restrained. 25 Q. And then would the standard of care</p>

<p style="text-align: right;">Page 57</p> <p>1 require that he be given Heparin one way or the 2 other in connection with being restrained on 3 March 13th at 12:43 A.M.?</p> <p>4 A. Sorry, I was sending the e-mail. Can 5 you repeat that question?</p> <p>6 Q. Sure. Are you done sending the e-mail?</p> <p>7 A. Yeah, I just sent it.</p> <p>8 Q. You're probably a better multi-tasker 9 than me. I can't do that.</p> <p>10 So going back to March 13th at 11 12:43 A.M., okay?</p> <p>12 A. Yes.</p> <p>13 Q. We established that that's the time 14 Mr. Cruz was placed into restraints, correct?</p> <p>15 A. Yes.</p> <p>16 Q. Does the standard of care require that 17 he be given Heparin at any time in the near future 18 in association with him being restrained at 19 12:43 A.M. on March 13th?</p> <p>20 A. So there's -- there's a clarification 21 here that I'm going to explain to answer this 22 question.</p> <p>23 If somebody's being placed in restraints 24 and you anticipate that it's not going to be for a 25 long time, then you don't necessarily put them in</p>	<p style="text-align: right;">Page 59</p> <p>1 if you see any note from Dr. Yalamanchi. Let the 2 record reflect this is 4:23 at this time you're 3 going to start looking.</p> <p>4 A. I don't see a note specifically written 5 by Dr. Yalamanchi.</p> <p>6 Q. Okay. So isn't it fair to say that we 7 have no indication of how long Dr. Yalamanchi 8 thought Mr. Cruz would be placed in restraints on 9 at 12:43 A.M. on March 13th; is that fair?</p> <p>10 A. That's fair.</p> <p>11 Q. I didn't see anything in his deposition 12 that, you know, qualified the timeframe for which 13 Dr. Yalamanchi expected Mr. Cruz to be placed in 14 restraints one way or the other. Do you recall 15 anything from --</p> <p>16 A. I don't.</p> <p>17 Q. -- Dr. Yalamanchi's dep?</p> <p>18 A. I don't recall.</p> <p>19 Q. And wouldn't that be consistent with 20 just general like medicine and placing in 21 restraints? Like, no one knows how long a 22 patient's going to be in restraints. They're 23 hopeful it's going to be short, but you really 24 don't know. Isn't that usually fair?</p> <p>25 A. You -- you don't -- you never</p>
<p style="text-align: right;">Page 58</p> <p>1 restraints based on -- I'm sorry, you don't 2 necessarily give them Heparin based on placing 3 them in restraints.</p> <p>4 Q. Okay.</p> <p>5 A. So it's when you have prolonged or, you 6 know, when you anticipate prolonged or when the 7 patient is even admitted. I have patients who are 8 in the ICU who are not in restraints, but who are 9 admitted and they are receiving Heparin. It's the 10 -- it's the immobility.</p> <p>11 Q. Sure. Is there any indication of how 12 long Dr. Yalamanchi anticipated Mr. Cruz to be 13 placed into restraints?</p> <p>14 A. No.</p> <p>15 Q. Did you see anything in the records that 16 Dr. Yalamanchi said oh, he's an imminent harm to 17 staff, so, you know, we're going to need him to be 18 restrained just for a short period of time? Did 19 you see a note like that one way or another?</p> <p>20 A. No, I don't recall seeing that.</p> <p>21 Q. Did you recall seeing any note from 22 Dr. Yalamanchi in the chart?</p> <p>23 A. Boy, going from my memory, I'd have to 24 look through the chart.</p> <p>25 Q. Well, take a look at the chart and see</p>	<p style="text-align: right;">Page 60</p> <p>1 specifically or never 100 percent know. But you 2 can have an idea.</p> <p>3 Q. Okay. So what timeframe do you think 4 would be the time when you should really start 5 thinking about giving Heparin?</p> <p>6 A. When you have -- I would say when you 7 renew the restraints at the four-hour mark --</p> <p>8 Q. Yep.</p> <p>9 A. -- it's reasonable to consider.</p> <p>10 Q. Right. Would the standard of care 11 require that the Heparin be administered at the 12 four-hour renew period?</p> <p>13 A. So the standard of care requires that 14 you consider the fact that the patient is 15 immobilized and you know as a physician that 16 there's a possibility of clot formation and with 17 that possibility of clot breaking off heading to 18 the lungs and you consider how can I prevent this 19 from happening. And you either provide mobility, 20 which is what the limb release is for, or you use 21 something such as Heparin.</p> <p>22 Q. Okay. But if you don't do either of 23 those, you're deviating from the standard of care; 24 is that correct?</p> <p>25 A. If you don't do either of those, you're</p>

<p style="text-align: right;">Page 61</p> <p>1 not considering that potential and you're not --    2 you're not acting on it.    3 Q. Okay. So I'll ask the question again.    4 If a patient who has been in restraints for four    5 hours is not obtaining consistent range of motion    6 exercises and then is not administered Heparin,    7 would that be a deviation from the standard of    8 care?    9 A. So I'm struggling a little bit, just    10 pardon me, with your four-hour mark.    11 Q. Okay. Yeah, because -- that's the    12 four-hour mark I'm using because you said that's    13 when a physician should consider using Heparin,    14 correct?    15 A. Correct.    16 Q. Okay.    17 A. Correct. There may be reasons why you    18 can't use Heparin or you can't do the, you know,    19 the limb release.    20 Q. Are you familiar with how often range of    21 motion exercises were employed at Hinsdale    22 Hospital when Mr. Cruz was being restrained?    23 A. I would have to look back through the    24 chart.    25 Q. Yeah, I mean, they're not in your</p>	<p style="text-align: right;">Page 63</p> <p>1 than from March 15th or 20th, 2016?    2 MR. FLAXMAN: Let me object to the form    3 of the question. The standard of care relative to    4 what? It's a specific condition or treatment or    5 actions?    6 A. I agree. Can you be more specific with    7 the question?    8 Q. Would the standard of care of a    9 reasonably careful physician provided to a patient    10 like Mr. Cruz, would it differ between March --    11 these two timeframes: Timeframe A, March 12th to    12 14th of 2016 versus Timeframe B, March 15th to    13 March 20th, 2016?    14 A. So the standard of care of what?    15 Q. Of a reasonably careful --    16 A. Which standard of care are you    17 specifically asking about?    18 Q. The standard of care of a reasonably    19 careful physician. It would be the same, right?    20 There's nothing about the dates that changes it?    21 A. No. I -- the standard of care, as we    22 earlier said, as we earlier said, it's a national    23 standard of care. It doesn't change until --    24 until there's new evidence or new science that    25 comes out.</p>
<p style="text-align: right;">Page 62</p> <p>1 opinions. They're not in your six-page report,    2 correct?    3 A. Correct.    4 Q. Would it be important to your opinions    5 that Dr. Zayed or Yalamanchi did or did not    6 deviate from the standard of care?    7 A. No, because my opinions are based on    8 Drs. -- Dr. Paschos and the folks at the jail.    9 Q. Right. But we agree that the standard    10 of care of a physician doesn't change, right?    11 A. Correct.    12 Q. Okay. Are you implying that you have no    13 -- do you think that Dr. Yalamanchi complied with    14 the standard of care in her treatment of Mr. Cruz?    15 A. In which respect?    16 MR. FLAXMAN: Let me object to the    17 question which assumes that she has an opinion,    18 which is not in evidence.    19 BY MR. RAGEN:    20 Q. Do you have an opinion one way or the    21 other whether Dr. Yalamanchi complied with the    22 standard of care in her treatment of Mr. Cruz?    23 A. No, I don't.    24 Q. Okay. Is there -- would the standard of    25 care between March 12th to 14th of 2016 differ</p>	<p style="text-align: right;">Page 64</p> <p>1 So what I'm saying is the standard of    2 care applies to a patient in the situation with    3 the patient's history and everything that's going    4 on. So the standard of care, if the patient -- if    5 something changes, if the situation changes might    6 be different. Standard of care is, as I think you    7 said, what a reasonable physician would do.    8 Q. Right. Okay. But there's nothing about    9 -- okay. So are you competent to render opinion    10 as to whether Dr. Yalamanchi complied or deviated    11 from the standard of care in providing treatment    12 to Mr. Cruz?    13 A. I'm not opining on Dr. Yalamanchi.    14 Q. Could you?    15 A. I would have to re-read the records    16 again with a focus to do that.    17 Q. Do you have an opinion as to whether    18 Mr. Cruz's DVT could have -- well, strike that.    19 All right. Let's just talk about    20 12:43 A.M. March 13th, 2016. Okay?    21 A. Yes.    22 Q. Dr. Yalamanchi ordered that Mr. Cruz be    23 placed in restraints, correct?    24 A. Yes.    25 Q. Does the standard of care require that</p>

<p style="text-align: right;">Page 65</p> <p>1 Dr. Yalamanchi see Mr. Cruz at any point in time    2 on or around March 13, 2016 at 12:43 A.M.?</p> <p>3 A. Well, she could see Mr. Cruz or she    4 could be getting a sign out from another physician    5 or from a nurse describing the case. Either way.</p> <p>6 Q. I want you to assume that -- so when was    7 Mr. Cruz let out of restraints in Hinsdale    8 Hospital?</p> <p>9 A. Let's see. On March 14th.</p> <p>10 Q. What are you looking at?</p> <p>11 A. My opinion.</p> <p>12 Q. What page?</p> <p>13 A. Page 2. He was taken to Countryside    14 Police Department on the 14th of March.</p> <p>15 Q. So I'm sorry, I guess I didn't -- I'm    16 just wondering when your understanding is that    17 Mr. Cruz was released from restraints at Hinsdale    18 Hospital? What time? What date?</p> <p>19 A. Well, the March 14th is when he went to    20 Countryside. So let me go through the chart and    21 find that.</p> <p>22 Q. Let the record reflect it's 4:34 when    23 she starts looking.</p> <p>24 A. So you want to know -- you want to know    25 when he was discharged?</p>	<p style="text-align: right;">Page 67</p> <p>1 just want you to assume that. Okay?</p> <p>2 MR. FLAXMAN: I want to object to that    3 question because it's imprecise what you mean    4 about getting Heparin for a majority of the time    5 that he was restrained.</p> <p>6 MR. RAGEN: I haven't asked the question    7 yet, Ken. Let me get the question out.</p> <p>8 I want you to make those two    9 assumptions, okay?</p> <p>10 MR. FLAXMAN: That assumption is    11 incomprehensible. I don't know and nobody knows    12 what getting Heparin for the majority of the time    13 while you're in restraints. Heparin, I think the    14 record will show, is administered in certain doses    15 every couple hours. Your question -- you should    16 get your own expert if you want opinions about    17 Hinsdale Hospital. You shouldn't ask    18 Dr. Marcolini to sit here now and give you new    19 opinions.</p> <p>20 MR. CATANIA: I object to the objection.</p> <p>21 BY MR. RAGEN:</p> <p>22 Q. These are the assumptions I would like    23 you to make, okay, and then I'll ask the question.</p> <p>24 I want you to assume that Mr. Cruz was in    25 restraints at Hinsdale Hospital for over seven</p>
<p style="text-align: right;">Page 66</p> <p>1 Q. No. No, no, no.</p> <p>2 A. Sorry. I'm just looking through his    3 chart trying to find clues as to how I can find    4 out the answer for what you want.</p> <p>5 Q. So basically it's -- you know, my whole    6 point is you're providing opinions as to    7 physicians at the jail who did the same thing as    8 physicians at Hinsdale. And I'm just going to see    9 if you have an opinion as to those physicians at    10 Hinsdale. Okay?</p> <p>11 MR. FLAXMAN: Well, let me object to    12 that because she said she doesn't have opinions    13 about people at Hinsdale. You're trying to sit    14 here now and ask her to formulate new opinions,    15 which is improper and is going to take a lot of    16 time. And she's telling you she needs to study    17 the records.</p> <p>18 MR. RAGEN: Okay. How about this?</p> <p>19 BY MR. RAGEN:</p> <p>20 Q. Dr. Marcolini, let's just get a little    21 foundation here. I want you to assume that at    22 Hinsdale Hospital, okay, Mr. Cruz didn't get    23 Heparin for the majority of the time he was    24 restrained and also that range of motion exercises    25 were not performed for the majority of time. I</p>	<p style="text-align: right;">Page 68</p> <p>1 hours and that for at least six of the hours, he    2 didn't get Heparin, and that for at least two    3 occasions there were not range of motion exercises    4 performed. Would that be relevant to determine    5 whether or not a DVT could have formed at Hinsdale    6 Hospital?</p> <p>7 MR. FLAXMAN: Let me object to the    8 question. We don't have a DVT that formed at    9 Hinsdale Hospital. There's no evidence of that.    10 Nobody's suggested that. And your hypothetical    11 talked about not getting range of motion exercises    12 on at least two occasions. Nobody knows what that    13 means.</p> <p>14 BY MR. RAGEN:</p> <p>15 Q. Can you answer the question,    16 Dr. Marcolini?</p> <p>17 A. I'm uncomfortable answering your    18 question because you're asking me to make an    19 assumption and you're referring to events that    20 have a factual basis. And I agree, it's -- this    21 seems -- this seems unusual to ask me to make an    22 assumption about something and --</p> <p>23 Q. I was going to speed it up, but we'll    24 just do it the slow way.</p> <p>25 A. So it's challenging.</p>

<p>1 Q. Okay. In your report, you see it on 2 page 2 -- 3 A. Yes. 4 Q. -- you write that during his stay at 5 Hinsdale Hospital, Mr. Cruz was placed in 6 four-point restraints on March 13, 2016 from 7 1:24 A.M. until 10:05 A.M., do you see that? 8 A. I do. 9 Q. Do you have any reason to disagree with 10 your report? 11 A. No. 12 Q. Okay. Looking at the medical record, it 13 looks like the first time he was given Heparin was 14 -- I'm going to share this. And if you need to 15 look somewhere else in the record, that's fine. 16 But I'm going to show you what I believe to be 17 when he first received Heparin, okay? 18 Right now, before we look at this 19 record, do you know when he first got Heparin, 20 Mr. Cruz, at Hinsdale Hospital? 21 A. No, I don't recall when he first got 22 Heparin. 23 Q. Okay. Okay. This is the medical record 24 that I'm going to be marking as Exhibit -- 25 THE COURT REPORTER: 6.</p>	<p>Page 69</p> <p>1 else that would suggest that Mr. Cruz obtained 2 Heparin prior to March 13, 2016 at 9:14 A.M.? 3 A. You're asking me if I know if he 4 received any Heparin prior to that? 5 Q. Yes. 6 A. From my recall, I can't tell you. 7 You're showing me when he did receive Heparin. In 8 all honesty, I don't know if he did receive 9 Heparin prior to that. 10 Q. Okay. Well, then look at the medical 11 records and tell me if he did receive Heparin 12 prior to March 13, 2016 at 9:15 A.M. Okay? 13 A. (Reviewing) So using the search tool 14 looking for Heparin, I cannot find anything that 15 states that he got Heparin before then. 16 Q. And that's before 9:19 A.M. on 17 March 13th, correct? 18 A. I forgot the time. But before. 19 Q. Whatever time's in the record? 20 A. Yeah. Nine something, yeah. 21 Q. Okay. So that took five minutes, but 22 that's okay. So that's one part of it. 23 MR. FLAXMAN: Can we have that time 24 stated in the record? 25 MR. RAGEN: That's fine. Remember your</p>
<p>1 MR. RAGEN: Thank you. 2 (Whereupon Deposition Exhibit 6 was 3 marked for identification.) 4 BY MR. RAGEN: 5 Q. Okay. I'm looking at what is page 120 6 of 294, okay? 7 A. Okay. 8 Q. See that? 9 A. I do. 10 Q. Does this appear to be a copy of the 11 Hinsdale Hospital medical records? 12 A. Yes. 13 Q. Okay. This, to me, establishes that 14 there was a dose of Heparin on March 12, 2016 at 15 2000 hours that was not given. Do you see that? 16 A. I do. 17 Q. And that was charted by Nurse Aiste 18 Barkauskaite on March 12, 2016 at 2210. Do you 19 see that? 20 A. I do. 21 Q. And the next note says that on March 13, 22 2016, that a dose of Heparin was administered. Do 23 you see that? 24 A. Yes. 25 Q. Do you have any -- is there anything</p>	<p>Page 70</p> <p>1 objections are supposed to be limited to not 2 speaking. 3 MR. FLAXMAN: I'm not speaking. Could 4 you state the time rather than just saying 5 whatever you said before? 6 BY MR. RAGEN: 7 Q. Okay. And are you aware of the -- 8 MR. FLAXMAN: Hold on. You're not going 9 to honor my request to clean up the record so we 10 can know what you guys have been talking about in 11 the last question? 12 MR. RAGEN: What's your question, Ken? 13 MR. FLAXMAN: You said that Heparin was 14 given at a particular time, and you never said the 15 time when you finally got an answer to the 16 question. What time was it? 17 MR. RAGEN: I got it. It's -- I'll back 18 up. 19 BY MR. RAGEN: 20 Q. So you see I'm sharing the screen, 21 Dr. Marcolini? 22 A. I do. 23 Q. So what time does it show that Mr. Cruz 24 was administered Heparin? 25 A. 9:14.</p>

<p>1 Q. 9:14 A.M. on March --</p> <p>2 A. Yeah, I believe you said 9:19. That's</p> <p>3 why I was unclear. So it was 9:14.</p> <p>4 Q. And the only ambiguity, if you look at</p> <p>5 that, right, there's a date administered and date</p> <p>6 charted, right?</p> <p>7 A. Yes.</p> <p>8 Q. And those have a five-minute difference,</p> <p>9 correct?</p> <p>10 A. Yes.</p> <p>11 Q. Let's go with the earliest one. On</p> <p>12 March 13, 2016 at 9:14 A.M., okay?</p> <p>13 A. Yes.</p> <p>14 Q. You have no -- that's the first time you</p> <p>15 believe Heparin was administered to Mr. Cruz,</p> <p>16 correct?</p> <p>17 A. According to this charting and that I</p> <p>18 can't find anything in my brief search, yes.</p> <p>19 Q. Okay. So you have no reason to disagree</p> <p>20 with the fact that Mr. Cruz first obtained Heparin</p> <p>21 on March 13, 2016 at 9:14 A.M., correct?</p> <p>22 A. Correct.</p> <p>23 Q. Okay. And based on your own report, you</p> <p>24 say that he was placed in four-point restraints</p> <p>25 from 1:24 A.M. to 10:05 A.M., correct?</p>	<p>Page 73</p> <p>1 Hospital?</p> <p>2 A. Absolutely not. There's no way that we</p> <p>3 can know when a DVT was formed.</p> <p>4 Q. Okay. But your opinions are that if you</p> <p>5 don't employ either two modalities, which are</p> <p>6 giving an anticoagulant like Heparin or</p> <p>7 immobilizing a patient, you increase the risk of</p> <p>8 developing a DVT, true?</p> <p>9 A. Yes.</p> <p>10 Q. Okay. And --</p> <p>11 A. Under the heading of immobility.</p> <p>12 Q. Right. And it's true that we can't say</p> <p>13 more probably true than not that the clot, the DVT</p> <p>14 that ended up killing Mr. Cruz was formed at</p> <p>15 Hinsdale Hospital; isn't that true?</p> <p>16 A. State that again.</p> <p>17 Q. Okay. Isn't it true that we can't say</p> <p>18 more probably true than not that the DVT that</p> <p>19 became a PE that killed Mr. Cruz originated at</p> <p>20 Hinsdale Hospital? Isn't that true?</p> <p>21 A. I think you're doing that double</p> <p>22 negative thing again. But -- but...</p> <p>23 Q. Can we rule out that -- so your cause of</p> <p>24 death is that a DVT -- DVT were formed, right,</p> <p>25 deep vein thrombi were formed and that caused a</p>
<p>1 A. Yes.</p> <p>2 Q. Okay. And earlier, we established that</p> <p>3 Dr. Yalamanchi placed the order for restraints on</p> <p>4 March 13, 2016 at 12:43 A.M., right?</p> <p>5 A. Yes.</p> <p>6 Q. Okay. So would you agree that the</p> <p>7 medical record reflects that there was a, at</p> <p>8 least, six-hour window where Mr. Cruz did not</p> <p>9 receive Heparin while restrained at Hinsdale</p> <p>10 Hospital?</p> <p>11 A. Let's see. Yes.</p> <p>12 Q. Okay. Now, for the Hinsdale Hospital,</p> <p>13 did you look at the types of range of motion</p> <p>14 exercises that were employed at Hinsdale Hospital?</p> <p>15 A. I don't recall.</p> <p>16 Q. Could they be relevant to your opinion</p> <p>17 as to whether or not a DVT formed while Mr. Cruz</p> <p>18 was at Hinsdale Hospital?</p> <p>19 MR. FLAXMAN: Let me object to the</p> <p>20 question. It assumes she has an opinion about</p> <p>21 that.</p> <p>22 MR. RAGEN: Okay.</p> <p>23 BY MR. RAGEN:</p> <p>24 Q. Do you have an opinion one way or the</p> <p>25 other whether Mr. Cruz developed a DVT at Hinsdale</p>	<p>Page 74</p> <p>Page 76</p> <p>1 pulmonary embolus to Mr. Cruz, right?</p> <p>2 A. Yes.</p> <p>3 Q. Okay. And it's certainly possible that</p> <p>4 those DVTs developed at Hinsdale Hospital, true?</p> <p>5 A. It's possible.</p> <p>6 Q. Okay. Because he was restrained at</p> <p>7 Hinsdale Hospital, true?</p> <p>8 A. He was.</p> <p>9 Q. He did not receive Heparin for a period</p> <p>10 of at least six hours while at Hinsdale Hospital,</p> <p>11 true?</p> <p>12 A. True. If -- what you showed me is all</p> <p>13 that we have. I have not reviewed the record for</p> <p>14 that question.</p> <p>15 Q. Well, Mr. Flaxman, when it's his turn to</p> <p>16 do Redirect, he can correct any facts that I'm --</p> <p>17 that you're unwilling -- that you're unable to</p> <p>18 make right now. And I believe the deposition --</p> <p>19 let me finish the question -- the depositions</p> <p>20 themselves will show that.</p> <p>21 So you have no reason to disagree with</p> <p>22 the fact that Mr. Cruz was restrained at Hinsdale</p> <p>23 and did not receive Heparin for at least six</p> <p>24 hours, correct?</p> <p>25 MR. FLAXMAN: Objection, it's a compound</p>

<p style="text-align: right;">Page 77</p> <p>1 question. I also object to your statement before 2 that. Nobody -- neither one of us was at Hinsdale 3 Hospital. Nobody here was at Hinsdale Hospital. 4 If you want to know what happened at Hinsdale 5 Hospital, you should ask somebody who was there 6 who could talk about the records rather try to get 7 it through the back door from Plaintiff's expert.</p> <p>8 MR. CATANIA: Object to the objection.</p> <p>9 BY MR. RAGEN:</p> <p>10 Q. Have you read Nurse Aiste Barkauskaite's 11 deposition?</p> <p>12 A. No.</p> <p>13 Q. Okay. Could her deposition be relevant 14 to you forming opinion as to when a DVT was more 15 likely to have been formed?</p> <p>16 MR. FLAXMAN: Objection, speculation.</p> <p>17 A. The question of when the DVT was formed 18 does not influence my opinion.</p> <p>19 Q. Okay. Are you -- you're testifying that 20 Dr. Paschos -- strike that.</p> <p>21 You're testifying that Cermak Health 22 Services, the care that was provided there, 23 created an increased risk that Mr. Cruz would 24 develop a DVT, correct?</p> <p>25 A. Yes.</p>	<p style="text-align: right;">Page 79</p> <p>1 because we can't know. Nobody can ever know when 2 a DVT was formed unless you get imaging just prior 3 to and just after it was formed. So -- so 4 irrespective, the care that was rendered at the 5 Cook County Jail did not mitigate the risk of 6 propagation, did not mitigate the risk of 7 formation. And that's -- that's the deviation 8 from the standard of care.</p> <p>9 MR. RAGEN: Shelley, could I have my 10 question read back?</p> <p>11 (Whereupon the requested portion of the 12 record was read by the court reporter.)</p> <p>13 A. Can you repeat that in not -- without 14 double negatives? Can you make that question --</p> <p>15 Q. I'll try. I'll try.</p> <p>16 A. I realize that you're trying not to do 17 that. But I want to make sure that what I say is 18 accurate. It's very important that I -- that I am 19 accurate, which is why I'm being very careful with 20 my words.</p> <p>21 Q. That's fine.</p> <p>22 A. I don't want to misspeak.</p> <p>23 Q. Agreed. And you know, this is -- and I 24 wasn't inferring that you were evading the 25 question. So to your question, on Redirect, I</p>
<p style="text-align: right;">Page 78</p> <p>1 Q. Okay. Do you have an opinion one way or 2 the other whether the care that occurred at 3 Hinsdale Hospital created an increased risk that 4 Mr. Cruz would develop a DVT?</p> <p>5 A. No.</p> <p>6 Q. How long -- so do you have an opinion 7 one way or the other, so -- no, I'm going to ask 8 this specific question.</p> <p>9 Can you say more probably true than not 10 that the DVT that became the pulmonary embolism 11 that killed Mr. Cruz was formed while he was at 12 the Cook County Jail?</p> <p>13 A. Again, we can't know when the DVT was 14 formed.</p> <p>15 Q. Okay. So it's true that you can't 16 render an opinion that it's more probably true 17 than not that the DVT that became a PE that killed 18 Mr. Cruz was formed while he was at the Cook 19 County Jail; is that true?</p> <p>20 A. What I can say is that whenever the DVT 21 was formed, the care that was provided at the Cook 22 County Jail did not mitigate the risk of either 23 formation of a DVT or propagation of an already 24 formed DVT.</p> <p>25 In either case, it's irrelevant when</p>	<p style="text-align: right;">Page 80</p> <p>1 will ask it -- try to ask it not with double 2 negatives.</p> <p>3 Is it more probably true than not that 4 the DVT that became a PE that killed Mr. Cruz 5 developed at Cermak Health Services?</p> <p>6 A. It's more likely -- more likely than not 7 true that it did develop at Cermak.</p> <p>8 Q. Okay. And you're saying that because 9 the -- there were -- the failure to mitigate those 10 two things, that mobility exercises were not 11 performed as regularly as you would like them and 12 Heparin was not administered, true?</p> <p>13 A. I'm saying that because Angel was 14 immobilized for 17-1/2 hours. And in that time, 15 he did not receive limb release and he did not 16 receive Heparin. It's the -- it's the 17 immobilization that contributed and that would 18 cause this. And we have ways of mitigating that 19 risk, and they were not employed.</p> <p>20 Q. So would you say the more frequently 21 range of motion exercises were employed, the 22 better?</p> <p>23 A. Yes.</p> <p>24 Q. Okay. Do you have -- and so you just 25 compared -- you just told me it's more probably</p>

<p style="text-align: right;">Page 81</p> <p>1 true than not that the DVT that killed Mr. Cruz    2 via pulmonary embolism, it's more probably true    3 than not that that originated while he was within    4 the Cook County Jail; is that true?</p> <p>5 A. If I had to make a guess and say what's    6 more likely, yes, because of his prolonged    7 immobilization and the lack of any mitigation of    8 the risk.</p> <p>9 Q. Now, to make that comparison, wouldn't    10 it be helpful to know how much of the mitigating    11 risks were performed at Hinsdale Hospital?</p> <p>12 A. You know, you are asking me about    13 something that happened at Hinsdale Hospital. It    14 doesn't really matter, because if he was -- if he    15 was restrained for 17-1/2 hours and not given the    16 limb release that is specifically designed to    17 mitigate the risk of either development or    18 propagation of a clot, that stands alone. And it    19 doesn't matter.</p> <p>20 If -- let's just say this: If the clot,    21 if we had some kind of a magic view and we knew    22 that the clot formed while he was at Hinsdale    23 Hospital, the actions at Cermak would have been    24 actions to mitigate the risk of that propagating.</p> <p>25 You could have a clot in your leg right</p>	<p style="text-align: right;">Page 83</p> <p>1 A. Well, I'm telling you it's irrelevant to    2 compare. I'm telling you that you asked me if    3 it's more likely than not that the clot was formed    4 at Cermak. And I said since he was -- since he    5 was immobilized for 17-1/2 hours without any risk    6 mitigation, then yeah, if you ask me to make an    7 estimation is it more likely than not, yes, it's    8 more likely than not. I have no way of knowing    9 absolutely when that clot was formed.</p> <p>10 Q. That's true. But in order to make that    11 more likely than not assessment, wouldn't it be    12 relevant to know how much the two mechanisms to    13 avoid clot formation, which are anticoagulant and    14 mobilizing the patient, were employed with    15 Heparin; isn't that true?</p> <p>16 MR. FLAXMAN: Object to the form of the    17 question, which assumes facts that are contrary to    18 the record.</p> <p>19 BY MR. RAGEN:</p> <p>20 Q. Can you answer the question?</p> <p>21 A. I think you misspoke when you said    22 something about Heparin. Can we read back the    23 question?</p> <p>24 Q. Okay. You -- strike that. We'll just    25 plug along.</p>
<p style="text-align: right;">Page 82</p> <p>1 now and the fact that you have a clot in your leg    2 right now is not going to kill you because you're    3 up and you're walking around and you're active and    4 you're squeezing your muscles and endogenous TPA    5 is breaking down clot all the time.</p> <p>6 So it doesn't matter to me what happened    7 to you yesterday. What happened to you yesterday    8 may have formed the clot. My job, if you're my    9 patient right now, is to prevent that clot from    10 propagating or prevent a clot from forming if I'm    11 going to keep you immobilized. I just -- does    12 that make sense?</p> <p>13 Q. We just talked about whether the DVT    14 that ended up killing Mr. Cruz, whether you could    15 say when it was formed. And you just told me it's    16 more probably true than not that it was formed    17 when he was at Cook County Jail, right?</p> <p>18 A. I did.</p> <p>19 Q. Okay. And so for comparing, is it    20 possible that the DVT that ended up killing    21 Mr. Cruz was formed when he was at Hinsdale    22 Hospital?</p> <p>23 A. So you said if we're comparing. I'm not    24 comparing.</p> <p>25 Q. I'm asking you to compare.</p>	<p style="text-align: right;">Page 84</p> <p>1 Can you tell me how often Mr. Cruz was    2 mobilized while he was restrained at Hinsdale    3 Hospital?</p> <p>4 MR. FLAXMAN: Let me object to that.    5 Immobilized or mobilized? What does mobilized    6 mean in this context?</p> <p>7 BY MR. RAGEN:</p> <p>8 Q. Can you answer the question,    9 Dr. Marcolini?</p> <p>10 A. No, I cannot tell you.</p> <p>11 Q. Okay. But you can answer the question,    12 right? The question --</p> <p>13 A. The question? Which question are we    14 talking about right now?</p> <p>15 Q. All right. So we just established the    16 anticoagulant -- we talked about that you have two    17 modalities to avoid the formation of a clot,    18 right?</p> <p>19 A. Formation or propagation, yes.</p> <p>20 Q. We're talking about formation, right?</p> <p>21 We talked about there are two ways to avoid    22 formation of a clot?</p> <p>23 A. Yes.</p> <p>24 Q. One is an anticoagulant, like Heparin.</p> <p>25 And the other one is making sure the restrained</p>

<p>1 patient is mobilized. Correct?</p> <p>2 A. Yes.</p> <p>3 Q. Okay. Can you tell me how the</p> <p>4 healthcare practitioners at Hinsdale Hospital</p> <p>5 mobilized Mr. Cruz?</p> <p>6 A. No, I can't.</p> <p>7 Q. Okay. Would that information more</p> <p>8 likely than not be in the records?</p> <p>9 A. I don't know. Again, I -- again, I</p> <p>10 would have to go through the chart to look for</p> <p>11 that evidence. That's not what I based my opinion</p> <p>12 on. And it's not -- it's -- the important part of</p> <p>13 this case is that Angel Cruz was in the care of a</p> <p>14 physician and was immobilized for 17-1/2 hours.</p> <p>15 And in those 17-1/2 hours, the physician and the</p> <p>16 nurses were responsible for understanding that</p> <p>17 immobilization increases a risk of development</p> <p>18 and/or propagation of clot and that can be</p> <p>19 life-threatening.</p> <p>20 Q. Okay.</p> <p>21 A. That's the important part of this.</p> <p>22 Q. I understand. Your answer, though --</p> <p>23 MR. FLAXMAN: I think you have to let</p> <p>24 her answer your question, sir.</p> <p>25 MR. RAGEN: I did. You were finished,</p>	Page 85	<p>1 A. So in answer to your question, if he had</p> <p>2 been immobilized and treated appropriately, or</p> <p>3 inappropriately, the focus for me and my opinion</p> <p>4 is that he was inappropriately treated at the jail</p> <p>5 and that inappropriate -- that standard of</p> <p>6 deviation -- deviation from the standard of care</p> <p>7 is what caused embolus to go to the lungs.</p> <p>8 Q. So but the one thing is could the --</p> <p>9 could the care -- so it's possible that if you</p> <p>10 reviewed Hinsdale Hospital's chart and were given</p> <p>11 the time, it's possible that you would conclude</p> <p>12 that the care there did not meet the standard of</p> <p>13 care; is that true?</p> <p>14 A. It's possible.</p> <p>15 Q. And is it possible that if that opinion</p> <p>16 was made, that would have an impact on the</p> <p>17 causation of Mr. Cruz's death?</p> <p>18 A. No.</p> <p>19 Q. Okay. Let's talk about your report a</p> <p>20 little bit. Going to page -- when you say a</p> <p>21 propagation, explain propagation to me.</p> <p>22 A. Oh, sure. When a clot is formed in the</p> <p>23 bloodstream, it typically is formed in a vessel,</p> <p>24 on a blood vessel. And mostly -- it can form in</p> <p>25 arteries, but it usually forms in veins. And when</p>
<p>1 right?</p> <p>2 A. The important -- and I was saying and</p> <p>3 that's the important part of this. And that's the</p> <p>4 basis of my opinion.</p> <p>5 Q. So --</p> <p>6 A. Are you asking -- you're asking me to</p> <p>7 compare the care at Cermak to the care at</p> <p>8 Hinsdale. I didn't do that. That's not what I</p> <p>9 based my opinion on.</p> <p>10 Q. There's nothing there to stop you from</p> <p>11 rendering an opinion as to the doctors or nurses</p> <p>12 at Hinsdale; is that correct?</p> <p>13 A. There is nothing that stops me. But as</p> <p>14 I answered before, the -- it wouldn't matter. If</p> <p>15 they had or hadn't, that clot developed. We know</p> <p>16 that. And in the 17-1/2 hours that Angel Cruz was</p> <p>17 immobilized, that's -- that's when the clot either</p> <p>18 developed or propagated. Irrespective, it</p> <p>19 embolized, it went to the lungs, and it killed</p> <p>20 him.</p> <p>21 Q. Do you -- would you say that it's</p> <p>22 irrelevant that he was immobilized while at</p> <p>23 Hinsdale Hospital?</p> <p>24 A. Irrelevant to what?</p> <p>25 Q. Your opinions.</p>	Page 86	<p>1 that clot forms, it's formed by platelets.</p> <p>2 Platelets clump, they get sticky. They typically</p> <p>3 go to the site of either an injury to the blood</p> <p>4 vessel, which is why we have this mechanism, or to</p> <p>5 the site of a slowing of blood flow in the blood</p> <p>6 vessel or stasis, as we call it. Or they can be</p> <p>7 formed because of something pathological, like a</p> <p>8 disruption or a blood dyscrasia. So those</p> <p>9 platelets form, they go to the site, and they are</p> <p>10 at that site.</p> <p>11 Now, if there's nothing done to stop the</p> <p>12 formation, a group of platelets is like a snowball</p> <p>13 going downhill. Platelets attract more platelets.</p> <p>14 And as you get more platelets showing up, the clot</p> <p>15 gets larger. It propagates, so it becomes longer</p> <p>16 within the blood vessel.</p> <p>17 The larger the clot becomes, the more</p> <p>18 risk there is of part of that clot breaking off,</p> <p>19 heading downstream, as it were, and in the venous</p> <p>20 downstream is heading back toward the heart, into</p> <p>21 the right side of the heart, and then it goes into</p> <p>22 the lungs. And that's where the vessels get</p> <p>23 smaller, and these clots or clot get stuck in the</p> <p>24 smaller vessels.</p> <p>25 So as they get stuck in those smaller</p>

<p>1 vessels, now that traveling clot, it gets stuck  2 somewhere and now it's going to start propagating.  3 Q. And so talking a little bit about clot  4 burden. Would you say it's -- like sometimes  5 people can survive a pulmonary embolism, sometimes  6 they can't; isn't that true?  7 A. That is true.  8 Q. And the amount of the clot burden is  9 instructive as to the chance of survivability;  10 isn't that true?  11 A. It's the amount and location.  12 Q. Right. Okay. And those are the two  13 main factors that determine whether or not a  14 person can survive a PE, right?  15 A. Yes. It's the clot burden, which is the  16 amount, and location, and the person's ability to  17 mount a response to that.  18 Q. Do you have an opinion as to the  19 severity of Mr. Cruz's clot burden?  20 A. It was severe enough to kill him.  21 Q. Right. Are there certain times where  22 the clot burden is so high that no matter what  23 methods are employed to save a patient's life, the  24 patient will still die?  25 A. It depends on the patient's underlying</p>	<p>Page 89</p> <p>1 different form of Heparin.  2 Q. Right. One's unfractionated, one's  3 fractionated?  4 A. Correct.  5 Q. When was a pulmonary embolism first  6 diagnosed with Mr. Cruz?  7 A. I believe, at autopsy.  8 Q. Okay. Was a pulmonary embolism ever  9 diagnosed while he was at Cook County Jail?  10 A. No.  11 Q. On pages five and six of your opinions,  12 do you see that?  13 A. I do.  14 Q. Is that where you talk about how  15 Mr. Cruz, if he was properly assessed, signs of a  16 pulmonary embolism could have been detected?  17 A. Let me get my wording there. Where are  18 you looking?  19 Q. Well, I'm just trying to -- the last two  20 paragraphs on 5 and then, you know, through 6.  21 A. Okay.  22 Q. Is this a part of your opinions where  23 you talk about how Mr. Cruz, if he was assessed  24 properly, a pulmonary embolism could have been  25 diagnosed?</p>
<p>Page 90</p> <p>1 condition and it depends on when that clot is  2 discovered.  3 Q. Are there certain pulmonary emboli that  4 are so big that they aren't survivable?  5 A. Well, no, because we have -- we have  6 treatments. We have interventional treatments.  7 We have interventional clot busters. And we also  8 have endovascular procedures to remove clot.  9 Q. Okay. Let's talk about the treatment  10 modalities. What would be the medications you  11 could administer?  12 A. Heparin --  13 Q. Yep.  14 A. -- would be the first one.  15 Q. Uh-huh.  16 A. TPA.  17 Q. Okay.  18 A. Tissue plasminogen activator --  19 Q. Yeah.  20 A. -- can be employed. Those are basically  21 the medications that you would deploy if and when  22 you find the clot, or discover, or even to think  23 that somebody has a clot.  24 Q. Right.  25 A. There's also Lovenox, which is a</p>	<p>Page 91</p> <p>Page 92</p> <p>1 A. I didn't say that if he was assessed  2 properly that a pulmonary embolism could be  3 diagnosed, did I? Are you reading that somewhere?  4 Q. No. The thing is it's not -- I get to  5 ask you questions about your opinions.  6 A. Yeah, okay.  7 Q. So is it true -- let's just talk about  8 Nurse Krzyzowski, okay?  9 A. Okay.  10 Q. Do you believe that Nurse  11 Krzyzowski deviated from the standard of care?  12 A. Yes.  13 Q. Okay. And that has to do with her care  14 and treatment of Mr. Cruz on or around 2:35 A.M.  15 on March --  16 A. Yes.  17 Q. -- on the date of his death, correct?  18 A. Correct.  19 Q. Okay. And your opinions as to Nurse  20 Krzyzowski is that she did not do a proper  21 assessment; is that correct?  22 A. She took ten minutes to respond to  23 somebody who was crying out for help.  24 Q. Right. So I'm just going through your  25 opinions. If you look at the last paragraph, you</p>

<p>1 indicate, If the assessment described above had    2 been done, Angel could have received treatment for    3 his pulmonary embolus, true?</p> <p>4 A. Yes.</p> <p>5 Q. So what you're saying is if Nurse    6 Krzyzowski would have done the proper assessment,    7 she would have discovered that Mr. Cruz was    8 suffering from a pulmonary embolism, true?</p> <p>9 A. No, no.</p> <p>10 Q. What are you saying?</p> <p>11 A. That's not what I'm saying. What I'm    12 saying is that at 2:35, when Deputy Anderson told    13 Nurse Krzyzowski that Angel was yelling, "Help me,    14 help me," she waited ten minutes and then    15 responded and found him, you know, he had labored    16 breathing and calling for help.</p> <p>17 Now, when somebody's in distress and    18 they're calling for help, as a healthcare    19 practitioner, irrespective of whether you're a    20 nurse or a physician, you go to that patient and    21 you ask what's wrong? You find out what's wrong.    22 And if somebody's having difficulty breathing, one    23 of the first questions is do they have an airway,    24 is the airway obstructed, you know, is he choking    25 on something, does he have a foreign body in his</p>	<p>Page 93</p> <p>1 probe on the chest to see that his right ventricle    2 is larger than his left, which belies an    3 obstructive phenomenon, which would indicate    4 something is going on in the lungs possibly and    5 consider that he may be having a pulmonary    6 embolus.</p> <p>7 And so what I'm saying in that sentence    8 that you read, if the assessment described above    9 had been done, Angel could have received treatment    10 for his pulmonary embolus, that means --</p> <p>11 Q. Right.</p> <p>12 A. -- that the nurse would have alerted the    13 EMS system and they would have gotten Angel Cruz    14 to the hospital while he was still alive and still    15 had a chance to be resuscitated.</p> <p>16 Q. Gotcha. So just to encapsulate what    17 you're saying Nurse Krzyzowski did is she    18 performed no assessment, correct?</p> <p>19 A. I don't think she did perform an    20 assessment. She didn't even go into the room --</p> <p>21 Q. Right. So --</p> <p>22 A. -- until ten minutes later and he was    23 collapsed.</p> <p>24 Q. I guess -- so you have to answer my    25 question.</p>
<p>1 airway.</p> <p>2 And then when that's cleared and you    3 don't have a foreign body and he's not choking,    4 you ask is he breathing adequately. And if he has    5 labored breathing and he's asking for help, then    6 you want to ask yourself why is he having labored    7 breathing? Why is he asking for help? What's his    8 oxygenation?</p> <p>9 So you get a pulse oximeter, you put it    10 on the finger and you find out what the    11 oxygenation is. If the oxygenation is not    12 adequate, then you apply oxygen and you move down,    13 you know, what is a pretty standard algorithm for    14 taking care of a patient who's in respiratory    15 distress or somebody with labored breathing.</p> <p>16 And had Nurse Krzyzowski done that, she    17 would have found that he did have some labored    18 breathing and he probably did have some    19 oxygenation problems. And that -- if that    20 assessment would have been done, then she would    21 have put oxygen on, called EMS, gotten them there.    22 They would have even more tools to work with, and    23 gotten him oxygen, an IV with IV fluid, and    24 supported him, gotten him to the hospital where,    25 at the hospital, they could put an ultrasound</p>	<p>Page 94</p> <p>Page 96</p> <p>1 A. Yes.</p> <p>2 Q. Are you saying Nurse Krzyzowski's    3 deviation from the standard of care is her failing    4 to perform an assessment? Yes or no?</p> <p>5 A. Yes.</p> <p>6 Q. Okay. Is there any difference between    7 assessment or examination? Did Nurse Krzyzowski    8 perform any examination of Mr. Cruz at that time?</p> <p>9 A. Not until she went to the room and found    10 him collapsed.</p> <p>11 Q. Right. What you're saying is her    12 failure to perform an assessment or an    13 examination, that's the deviation from the    14 standard of care, correct?</p> <p>15 A. I'm saying her failure to respond to a    16 patient's cry for help, to delay her response to    17 that, and not to, you know, respond to that cry    18 for help until ten minutes later. And when she,    19 you know --</p> <p>20 Q. Do you -- do you think that -- let's    21 just -- you talked about all the ways that    22 Mr. Cruz maybe would have benefitted from a    23 different treatment scenario. Okay?</p> <p>24 A. Yeah.</p> <p>25 Q. The fact that he didn't get any of that</p>

<p style="text-align: right;">Page 97</p> <p>1 treatment scenario, you're saying is that because    2 Ms. Krzyzowski did not perform the assessment as    3 you indicated she should have, correct?    4 MR. FLAXMAN: Let me object to the form    5 of the question. And it's not a yes or no    6 question with that "correct" at the end. That's    7 sneaky, and you shouldn't do that.    8 MR. RAGEN: Can you read the question    9 back, please?    10 (Whereupon the requested portion of the    11 record was read by the court reporter.)    12 A. Can you reword the question?    13 Q. Sure. It's true that Nurse Krzyzowski    14 failed to perform a proper assessment of    15 Mr. Cruz's condition on around 2:35 A.M., correct?    16 A. It is true.    17 Q. Okay. Do you think -- let's just talk    18 about Mr. Cruz and the chance that he could have    19 survived this pulmonary embolism. You've read the    20 Medical Examiner's report, correct?    21 A. I did.    22 Q. And how -- how high was his clot burden?    23 A. I don't recall off the top of my head.    24 Q. Okay. Do you think Mr. Cruz could have    25 survived if he never was given either an</p>	<p style="text-align: right;">Page 99</p> <p>1 Q. What are the other ways you could treat    2 a pulmonary embolism?    3 A. To treat a pulmonary embolus, you can,    4 as you suggested, provide Heparin. You can try    5 unfractionated Heparin or Lovenox. You can    6 perform an endovascular procedure.    7 Q. Right. Surgery, yeah. What I'm saying    8 is did I talk about all --    9 MR. FLAXMAN: Hold it, hold it. You    10 can't do that. She's answering your question and    11 you're interrupting her. Please stop doing that.    12 MR. RAGEN: I only have three and a half    13 hours. And I don't think Dr. Marcolini's trying    14 to be evasive, but I just have a time constraint.    15 MR. FLAXMAN: You can have as much time    16 constraints as you want, but don't interrupt her    17 when she's answering.    18 MR. RAGEN: I can have as much what as I    19 want?    20 MR. FLAXMAN: You should patiently wait    21 for her to be finished, then you should ask    22 another question. That's the way we work in the    23 Federal Rules of Civil Procedure. We don't    24 interrupt people.    25</p>
<p style="text-align: right;">Page 98</p> <p>1 anticoagulant or tissue plasminogen activator?    2 Can you say one way or another?    3 A. Could he have -- you're asking could he    4 have survived?    5 Q. Right, if he never got Heparin or tissue    6 plasminogen inactivator?    7 A. You mean if he had gotten to the    8 hospital and never got those?    9 Q. Yeah, I mean, never. Right? So what    10 I'm saying is you talked about how you can give    11 someone oxygen, right, and IV fluids?    12 A. Yeah, yeah.    13 Q. That's one of the ways you can help a    14 patient who is incurring a pulmonary embolism    15 survive it, true?    16 A. Yes.    17 Q. Okay. Those are two methodologies,    18 right?    19 A. Yes.    20 Q. What are the other ones you'd do? You    21 can perform a surgery, right?    22 A. Yes.    23 Q. Okay. Or you can provide medication,    24 right?    25 A. Yes.</p>	<p style="text-align: right;">Page 100</p> <p>1 BY MR. RAGEN:    2 Q. I'm sorry, are you finished,    3 Dr. Marcolini?    4 A. No. The treatment modalities are    5 Heparin, Lovenox, endovascular thrombectomy,    6 intraarterial Heparin, TPA, or intraarterial TPA.    7 Q. Right. And those, all those are either    8 surgical or medication, right?    9 A. True.    10 Q. Okay. So outside of giving the patient    11 oxygen, giving them IV fluids, or those medical or    12 surgical interventions you talked about, have we    13 talked about all the different treatment    14 modalities that could have been used on Mr. Cruz?    15 A. Oxygen, IV fluid, supportive care,    16 Heparin, yeah. Those are -- those are the    17 treatment modalities that he needed until he    18 coded. Then he needed CPR.    19 Q. Right. And when did he code?    20 A. He coded while he was still at the jail.    21 Q. What time was it?    22 A. Let's see. I don't know. I'd have to    23 look that up.    24 Q. Please do.    25 A. Okay.</p>

<p>1 Q. If it's important to your opinion. If 2 it's not, that's fine.</p> <p>3 A. I could tell you it was sometime after 4 2:35. So in Nurse Krzyzowski's progress note, she 5 says that at 2:40 A.M., patient was verbalizing, 6 "Help me." She -- and she told the patient to lie 7 down and she would get some medications. She 8 prepared the medications. He was lying down. He 9 had a pulse. He was breathing. Code Blue was 10 called -- I don't have a time here -- sometime 11 after 2:40. Hang on.</p> <p>12 Q. How long do you think you've been 13 looking for this, would you say?</p> <p>14 A. I don't know. A few minutes anyway.</p> <p>15 Q. Okay.</p> <p>16 A. As you know, these medical records are 17 not designed for me to find an answer that I'm 18 looking for. So I'm doing my best to try to find 19 it. But I'm sorry.</p> <p>20 Q. I know. Is it important to know for 21 your opinions when Mr. Cruz coded?</p> <p>22 A. No.</p> <p>23 Q. Okay. Okay. Moving on, is it true that 24 have you ever -- did you receive an undergraduate 25 degree in nursing?</p>	<p>Page 101</p> <p>1 educational requirements are for nursing? 2 A. Yes. 3 Q. What are they? 4 A. They are continuing education. They 5 have -- you know, it's that they have to acquire 6 and they have to have certain -- I teach -- I 7 teach nurses, so I realize that they have to have 8 requirements. They have to have pharmacology as a 9 certain percentage of their requirements, and they 10 have to get documentation of that.</p> <p>11 Q. Would you say that you've received a 12 higher level of training than nurses?</p> <p>13 A. Yes.</p> <p>14 Q. Okay. Would you think a reasonably 15 careful nurse would have to have as much education 16 as you to be a competent nurse providing care in 17 Illinois?</p> <p>18 A. No.</p> <p>19 Q. Okay. If you wanted to become a nurse 20 at either of the hospitals you are credentialed 21 at, could you?</p> <p>22 A. No.</p> <p>23 Q. Okay.</p> <p>24 A. Not unless I went to nursing school and 25 went through their curriculum.</p>
<p>1 A. No.</p> <p>2 Q. Are you licensed as a registered nurse?</p> <p>3 A. No.</p> <p>4 Q. Are you licensed as an LPN?</p> <p>5 A. No.</p> <p>6 Q. Did you -- when you went to medical 7 school, did you receive training as a nurse?</p> <p>8 A. No.</p> <p>9 Q. In your residency, did you receive 10 training as a nurse?</p> <p>11 A. No.</p> <p>12 Q. In your fellowship, did you receive 13 training as a nurse?</p> <p>14 A. No.</p> <p>15 Q. You have certain continuing medical 16 education obligations that we discussed, in part, 17 correct?</p> <p>18 A. I'm sorry, do I have?</p> <p>19 Q. Like CMEs, right?</p> <p>20 A. Yes.</p> <p>21 Q. You do CME, correct?</p> <p>22 A. I do.</p> <p>23 Q. And that's as a medical doctor, right?</p> <p>24 A. True.</p> <p>25 Q. Are you aware of what the continuing</p>	<p>Page 102</p> <p>1 Q. And became licensed, right?</p> <p>2 A. True.</p> <p>3 Q. And obtained the training that a nurse 4 would get in nursing school, right?</p> <p>5 A. Yes.</p> <p>6 Q. In any of the other cases that you 7 testified, did you testify to the nursing standard 8 of care?</p> <p>9 A. In any of the other cases that I 10 testified?</p> <p>11 Q. Yep.</p> <p>12 A. Let's see. Not that I recall.</p> <p>13 Q. Okay. We talked about the policies and 14 procedures that were -- you know, about restraints 15 at the hospitals you practiced at. Do you 16 remember that?</p> <p>17 A. Yes.</p> <p>18 Q. Are the policies and procedures of those 19 hospitals, are they confidential or privileged in 20 any way, do you know?</p> <p>21 A. I don't know.</p> <p>22 Q. Okay. If they are not confidential and 23 privileged, would you mind providing a copy of the 24 policy that was in effect in March 2016 at the 25 hospitals you were providing care at?</p>

<p style="text-align: right;">Page 105</p> <p>1 A. Well, I wasn't working at my current 2 hospital in 2016. 3 Q. Were you practicing medicine in any 4 hospital in 2016? 5 A. Yes. 6 Q. Would you mind providing a copy of the 7 policy and procedure on restraints in March of 8 2016? 9 MR. FLAXMAN: From where? 10 MR. RAGEN: Whatever hospital you were 11 practicing at in March of 2016. 12 MR. FLAXMAN: Is that proper to ask her 13 to go out and get documents that she didn't rely 14 on that you want to do fact discovery on? 15 MR. RAGEN: I just asked her if she'd 16 mind. She could say no or yes. 17 MR. FLAXMAN: I think that's an improper 18 question, if you would mind. How is that, you 19 know, relevant? You can answer, but we'll object 20 to the form of the question. 21 A. I -- I -- I don't -- yes, I would mind. 22 I was working at Yale New Haven Hospital in 2016, 23 and I don't work there anymore. So I think it 24 would be inappropriate for me to ask for policies 25 and procedures to apply them to a case that they</p>	<p style="text-align: right;">Page 107</p> <p>1 Q. Yeah. More than five, but less than a 2 thousand, true? 3 A. Sure. 4 Q. What are the signs -- can DVTs present 5 clinically? 6 A. Yes. 7 Q. And how would they present? 8 A. You can have signs that are associated 9 with DVT such as leg swelling or tenderness in the 10 calf. 11 Q. Can redness of the lower extremities be 12 a sign of a DVT? 13 A. It can, but it's not typically. 14 Q. Can DVTs in the lower extremities 15 present with lower extremity pain? 16 A. Yes. 17 Q. Okay. Can DVTs present with lower 18 extremity itchiness? 19 A. Not that I know of. 20 Q. So the main characteristics of a DVT 21 that is observable clinically would be redness, 22 swelling and pain, correct? 23 A. Could be swelling, pain, and tenderness, 24 tachycardia, shortness of breath. 25 Q. Okay. But let's just say talking about</p>
<p style="text-align: right;">Page 106</p> <p>1 have nothing to do with. 2 Q. Okay. Labored breathing, that's a 3 non-specific finding; would you agree? 4 A. Yes. 5 Q. Can labored breathing be associated with 6 indigestion? 7 A. Not usually. 8 Q. Angina? 9 A. Yes. 10 Q. Exertion? 11 A. Yes. 12 Q. Can psychotic patients who don't have 13 pulmonary embolism, can they have labored 14 breathing? 15 A. Yes. 16 Q. Congestive heart failure, can patients 17 with congestive heart failure have labored 18 breathing? 19 A. Yes. 20 Q. Patients who have stroke, can they have 21 labored breathing? 22 A. Yes. 23 Q. Are there many other medical conditions 24 that could cause labored breathing? 25 A. There are others.</p>	<p style="text-align: right;">Page 108</p> <p>1 the main three where you're looking at an 2 extremity. You said tenderness, pain, and what 3 was the other one? 4 A. I said swelling. 5 Q. Okay, yeah. 6 A. And pain and tenderness. 7 Q. Swelling, pain and tenderness. Okay. 8 And would you include redness as a clinical 9 observable sign of DVT or not? 10 A. Not typically. 11 Q. Okay. So looking at those three, you 12 did include swelling, pain and tenderness, if you 13 observed those in the lower extremity, would there 14 be a high likelihood that you'd be -- would you be 15 very suspicious of a DVT? 16 A. I would be suspicious of a DVT in the 17 right clinical setting. 18 Q. Right. Did you see that Mr. Cruz 19 exhibited any three of those symptoms? 20 A. I did not see -- it is not documented 21 that he exhibited any of those symptoms. 22 Q. Whether at Hinsdale or Cermak, correct? 23 A. No. But I should add that Mr. Cruz was 24 -- was noted to have an altered mental status and 25 to be psychotic, and he certainly wasn't focusing</p>

<p style="text-align: right;">Page 109</p> <p>1 on whether or not his calf was swollen. He was 2 much more agitated than that. He could have 3 overlooked any kind of complaint.</p> <p>4 Q. Did you see anywhere in the medical 5 record that they cleaned him off because he had 6 defecated on himself?</p> <p>7 A. I do recall seeing that somewhere.</p> <p>8 Q. And is it possible that in the process 9 of cleaning off defecation, they might be looking 10 at his lower extremities?</p> <p>11 MR. FLAXMAN: Let me object to the form 12 of the question. Who's to say? Is that a 13 correctional officer or a nurse or a doctor?</p> <p>14 Objection.</p> <p>15 MR. RAGEN: Just keep your objections to 16 not speaking, sir.</p> <p>17 MR. FLAXMAN: Who's the they?</p> <p>18 BY MR. RAGEN:</p> <p>19 Q. Can you answer the question, 20 Dr. Marcolini?</p> <p>21 A. So can you repeat the question?</p> <p>22 Q. You indicated that you saw in the 23 medical records that at certain times, he 24 defecated on himself and was cleaned, correct?</p> <p>25 A. I think I recall that, yes, he was</p>	<p style="text-align: right;">Page 111</p> <p>1 A. I have heard it. I can't tell you what 2 it is.</p> <p>3 Q. Okay. Is that something that a nurse 4 would typically do?</p> <p>5 A. I can't tell you what it is, so I don't 6 know if I can answer that question.</p> <p>7 Q. And we covered this, but I just want to 8 make sure. Right now, as you sit here, I had to 9 ask you about your opinions and you're telling me 10 what you're basing them on. Is the extent of 11 Mr. Cruz's -- are you basing any of your opinions 12 on the severity or non-severity of Mr. Cruz's clot 13 burden?</p> <p>14 A. The severity of his clot burden was 15 obviously severe enough to kill him. So --</p> <p>16 Q. Right. But the thing is we 17 established --</p> <p>18 MR. FLAXMAN: Hold on, hold on, you're 19 interrupting her again. She's answering your 20 question.</p> <p>21 MR. RAGEN: I'm sorry.</p> <p>22 MR. FLAXMAN: Let her answer.</p> <p>23 BY MR. RAGEN:</p> <p>24 Q. Dr. Marcolini, I thought you were done. 25 I didn't mean to interrupt.</p>
<p style="text-align: right;">Page 110</p> <p>1 cleaned.</p> <p>2 Q. Okay. And in that process, in the 3 cleaning of someone who had defecated on 4 themselves, is it possible that if signs of a DVT 5 such as swelling, pain or tenderness existed, they 6 could have been observed?</p> <p>7 MR. FLAXMAN: Object to the form of the 8 question. Who's the they?</p> <p>9 A. First of all, if -- if you are -- if 10 you're considering the -- if you're considering 11 the diagnosis of DVT and you're thinking about the 12 lower extremity and the assessment of that lower 13 extremity, it can be very subtle. And what we 14 haven't talked about is that you can have a DVT 15 and have none of those. You could have a DVT and 16 not have swelling, not have pain, not have 17 tenderness.</p> <p>18 Q. For sure. What percentage of the time 19 do DVTs present with observable signs versus not 20 generally?</p> <p>21 A. I don't know. I don't know.</p> <p>22 Q. Are you familiar with the term Braden 23 assessment?</p> <p>24 A. Sorry, with what term?</p> <p>25 Q. Braden assessment?</p>	<p style="text-align: right;">Page 112</p> <p>1 A. That's okay. I didn't think you did. 2 What I'm saying is I'm not clear on specifically 3 what you're asking.</p> <p>4 Q. Right, okay. The survivability of a 5 pulmonary embolus, right, some are more survivable 6 than others; would you agree?</p> <p>7 A. Yes.</p> <p>8 Q. And if a person has a very, very, very 9 tiny clot and there's one of them, that's more 10 survivable than if the patient has bilateral clots 11 and they're big and they're multiple; is that true 12 generally?</p> <p>13 A. Yes, true.</p> <p>14 Q. Okay. Do you have an opinion as to the 15 extent of Mr. Cruz's clot burden that was seen on 16 autopsy one way or the other?</p> <p>17 A. I was -- my opinion is that it was 18 severe enough to cause his death.</p> <p>19 Q. Okay. Do you have an opinion as to 20 whether it was so severe that no matter what 21 treatment modalities were administered after 22 2:35 A.M., he could have survived one way or the 23 other?</p> <p>24 A. I do -- I'm trying to answer this in the 25 right form.</p>

<p>1 Q. So go ahead. Shelley, can you -- sorry  2 I didn't mean to interrupt. You can answer, if  3 you can.</p> <p>4 A. He -- irrespective of the clot burden,  5 it is absolutely possible that he could have  6 survived it.</p> <p>7 Q. Right. Is the other side true, that  8 even if all the treatment modalities were  9 administered, it's possible he could have died  10 also?</p> <p>11 A. It depends on when it was discovered.  12 Because part of the physiology of this is that  13 when the clot -- when the clot reaches the lungs  14 and causes this obstruction, the heart is working  15 against it. The heart is working as hard as it  16 can to pump the blood through the lungs. And if  17 there's clot burden in the lungs, it makes the  18 heart's job that much harder. And when the heart  19 works so hard and can't get the blood through the  20 lungs, now you run into heart failure. And it's  21 the heart failure that actually -- that actually  22 manifests and the patient dies because the heart  23 fails.</p> <p>24 And if you get into that cycle early on,  25 you can even have somebody who's got -- who's got</p>	<p>Page 113</p> <p>1 Q. Okay. I'm going to ask to see if you  2 can answer the question yes or no. And if you  3 can't answer it yes or no, that's fine too.</p> <p>4 MR. RAGEN: Can you read the question  5 back, Shelley?</p> <p>6 (Whereupon the requested portion of the  7 record was read by the court reporter.)</p> <p>8 A. I can't answer that yes or no.</p> <p>9 Q. Okay.</p> <p>10 A. I can answer it the way I did answer it.</p> <p>11 Q. No, I understand for sure. And part of  12 what it depends on is how big the clot burden is,  13 true?</p> <p>14 A. No. What it depends on is the condition  15 of the patient and the cardiovascular system  16 that's working against that clot. So see, it's --  17 the clot is there and the clot provides an  18 obstruction to the heart pumping. So when you --  19 when you have that obstruction to the heart  20 pumping, now the heart has to work harder and work  21 overtime.</p> <p>22 So the heart working hard not -- not  23 able to work that hard and failing causes the  24 cardiac arrest. The cardiac arrest is traced back  25 to the heart working so hard because there's a</p>
<p>Page 114</p> <p>1 compromise to the heart and still you can -- they  2 can survive, because we can fix that. We can  3 support the heart with fluids, with medications,  4 with vasoactive medications at the same time that  5 we're getting that clot out of the lung. So it  6 really depends on where you catch it in the cycle.</p> <p>7 Q. And so the timing is important in the  8 cycle where you catch it, correct?</p> <p>9 A. Yes.</p> <p>10 Q. Okay. Is it important -- well, okay,  11 let me know -- tell me if you can answer this  12 question one way or another. Are all -- so like  13 sometimes pulmonary embolism causes death, true?</p> <p>14 A. True.</p> <p>15 Q. Are there certain pulmonary embolisms  16 that are unsurvivable, despite all treatments  17 being provided within the standard of care?</p> <p>18 A. It depends on the condition of the  19 patient and when you catch that.</p> <p>20 MR. RAGEN: Sorry, can you ask the  21 question back, Shelley?</p> <p>22 (Whereupon the requested portion of the  23 record was read by the court reporter.)</p> <p>24 A. It depends on the condition of the  25 patient and when you catch the pulmonary embolism.</p>	<p>Page 116</p> <p>1 pulmonary embolus.</p> <p>2 Q. Does it matter how many clots make it to  3 the lung one way or the other?</p> <p>4 A. It can be multiple clots or it can be  5 one large clot or two large clots. It depends on  6 the clot burden and how hard it is for the heart  7 to pump against that.</p> <p>8 Q. Right. And so the bigger the clot  9 burden, the harder it is -- the more likely the  10 patient's not going to survive; is that true?</p> <p>11 A. The bigger the clot burden in  12 conjunction with the condition of the heart and  13 the, you know, the work -- the work that, you  14 know, also hydration and how much, you know, how  15 much support the heart has, how much ability the  16 heart has to do the job that it has to do.</p> <p>17 Q. And the clot burden is a relevant  18 factor; would you agree?</p> <p>19 A. It is one relevant factor.</p> <p>20 Q. Okay. How often do you employ  21 restraints on patients?</p> <p>22 A. Not very often.</p> <p>23 Q. When was the last time you employed  24 restraints on a patient?</p> <p>25 A. Last time was probably ten days ago, in</p>

<p>1 the ICU.</p> <p>2 Q. Okay. And then in a given year, how</p> <p>3 many times do you employ restraints on a patient?</p> <p>4 A. Ten to twenty.</p> <p>5 Q. Okay. Did you -- I looked at all the</p> <p>6 materials you listed here. Did you watch any</p> <p>7 videos?</p> <p>8 A. I did.</p> <p>9 Q. Which videos did you watch?</p> <p>10 A. The videos of the -- of the jail --</p> <p>11 sorry, videos of the jail where he was -- where</p> <p>12 Angel was being held.</p> <p>13 Q. How many videos?</p> <p>14 A. It was one prolonged video.</p> <p>15 Q. Okay. And that instructed you to</p> <p>16 conclude that the restraints could not have been</p> <p>17 performed for ten minutes?</p> <p>18 A. Yes.</p> <p>19 Q. Okay. If restraints weren't employed at</p> <p>20 Hinsdale Hospital for ten minutes, would that be a</p> <p>21 deviation from the standard of care when Mr. Cruz</p> <p>22 was restrained at Hinsdale Hospital?</p> <p>23 MR. FLAXMAN: Objection, asked and</p> <p>24 answered.</p> <p>25 A. I agree.</p>	<p>Page 117</p> <p>1 reasons. Again, if you want to get specific about</p> <p>2 Hinsdale Hospital, we could go there. But I'm not</p> <p>3 prepared to answer specific questions about the</p> <p>4 Hinsdale Hospital care.</p> <p>5 Q. On page 4 of your opinions -- can you</p> <p>6 turn there?</p> <p>7 A. Yes.</p> <p>8 Q. Looking at the middle -- page 4, in the</p> <p>9 middle paragraph, it says, During his stay at</p> <p>10 Hinsdale Hospital, he was appropriately</p> <p>11 administered Heparin to mitigate the risk of deep</p> <p>12 vein clot formation.</p> <p>13 A. Yes.</p> <p>14 Q. Do you hold that opinion?</p> <p>15 A. Yes.</p> <p>16 Q. When was he first administered Heparin?</p> <p>17 MR. FLAXMAN: Object to the form of the</p> <p>18 question. Where?</p> <p>19 MR. RAGEN: At Hinsdale Hospital.</p> <p>20 MR. FLAXMAN: Objection now is asked and</p> <p>21 answered. You went through those records.</p> <p>22 MR. RAGEN: I know. But she's saying</p> <p>23 she doesn't have an opinion about Hinsdale</p> <p>24 Hospital. But then in her report, she has an</p> <p>25 opinion about Hinsdale Hospital. She calls it</p>
<p>1 Q. It would be a deviation, correct?</p> <p>2 A. No, I agree it was already asked and</p> <p>3 answered. And I'm -- I'm not -- if you want me to</p> <p>4 opine on the Hinsdale Hospital care, I can go back</p> <p>5 to the chart and spend some hours doing that. But</p> <p>6 it's not where my focus is.</p> <p>7 Q. No, I know. But my question is just</p> <p>8 real simple. If -- if at Hinsdale Hospital</p> <p>9 restraints were employed every two hours and for</p> <p>10 over ten minutes and all four limbs were</p> <p>11 mobilized, would that comply with the standard of</p> <p>12 care?</p> <p>13 A. Yes.</p> <p>14 Q. Okay. If range of motion exercises were</p> <p>15 not done for a period of over ten minutes at</p> <p>16 Hinsdale Hospital, would that deviate from the</p> <p>17 standard of care?</p> <p>18 A. Depends on why they weren't done.</p> <p>19 Q. Okay. And why would it depend?</p> <p>20 A. Sorry?</p> <p>21 Q. Well, why would it depend?</p> <p>22 A. If the patient is violent and they can't</p> <p>23 get near the patient, then you have to -- you have</p> <p>24 to take into account safety of the nurses, techs,</p> <p>25 and security guards. I mean, there are many</p>	<p>Page 118</p> <p>1 appropriate.</p> <p>2 MR. FLAXMAN: I mean, are you going to</p> <p>3 argue with her or are you going to ask her</p> <p>4 questions?</p> <p>5 A. In this statement, he was appropriately</p> <p>6 administered Heparin, meaning it was appropriate</p> <p>7 to administer Heparin to Angel to mitigate the</p> <p>8 risk of deep venous clot formation.</p> <p>9 Q. When you say appropriate, that means</p> <p>10 reasonable, right?</p> <p>11 A. It was reasonable to administer that,</p> <p>12 yes.</p> <p>13 Q. Right. And did he -- do you know if he</p> <p>14 had a period when he was restrained and was not</p> <p>15 Heparinized? Do you know one way or another?</p> <p>16 A. Not without going back and reading</p> <p>17 through the records again. But I see your</p> <p>18 question. I see why you're asking it. The</p> <p>19 wording of that sentence, During his stay at</p> <p>20 Hinsdale Hospital, he was properly administered</p> <p>21 Heparin.</p> <p>22 That, what I mean with that statement is</p> <p>23 that during his stay at Hinsdale Hospital, it was</p> <p>24 appropriate to give him Heparin.</p> <p>25 Q. Okay. But you're not saying whether the</p>

<p>1 care was appropriate one way or the other?</p> <p>2 MR. FLAXMAN: Again -- again, you cut</p> <p>3 her off. She was answering. She had more to say</p> <p>4 and you cut her off. Please don't do that.</p> <p>5 MR. RAGEN: By the way, what I'll say</p> <p>6 for the record, because you're doing this for the</p> <p>7 written record.</p> <p>8 BY MR. RAGEN:</p> <p>9 Q. Dr. Marcolini, it seemed like you were</p> <p>10 done with your answer. Is that true?</p> <p>11 A. I'm sorry, let's just start again. Let</p> <p>12 me say my sentences. When I make that statement,</p> <p>13 During his stay at Hinsdale Hospital, he was</p> <p>14 appropriately administered Heparin, what I mean is</p> <p>15 it was appropriate for them to administer Heparin.</p> <p>16 He was appropriately administered Heparin. But</p> <p>17 I'm not making a statement as to the way that they</p> <p>18 administered it or the frequency or dosing or any</p> <p>19 of that.</p> <p>20 So I can see -- I can understand your</p> <p>21 confusion with that sentence. But hopefully I've</p> <p>22 cleared it up.</p> <p>23 Q. Did you finish your answer?</p> <p>24 A. Yes.</p> <p>25 Q. Okay. And I'm trying not to cut you</p>	<p>Page 121</p> <p>1 you have interrupted. But I think we can move</p> <p>2 forward.</p> <p>3 Q. Right. And -- is the time period that</p> <p>4 he was restrained at Hinsdale irrelevant to how</p> <p>5 many emboli formed in his DV -- in his lower</p> <p>6 extremities?</p> <p>7 A. I don't know how to answer that</p> <p>8 question.</p> <p>9 Q. Okay. The amount of time that he was</p> <p>10 restrained at Cermak and how mobile or immobile he</p> <p>11 was is relevant to your opinions as to that</p> <p>12 Mr. Cruz formed a DVT; is that true?</p> <p>13 A. It is true that the time that he was</p> <p>14 immobilized without risk mitigation is relevant to</p> <p>15 the formation or propagation of DVT.</p> <p>16 Q. Okay. So that last answer, does it</p> <p>17 matter whether or not that occurred at Hinsdale or</p> <p>18 Cermak?</p> <p>19 A. No.</p> <p>20 Q. Can Geodon cause an increase -- can the</p> <p>21 overprescription of Geodon cause an increased risk</p> <p>22 of developing a DVT?</p> <p>23 A. I don't know. I'd have to look that up.</p> <p>24 Q. Looking at page 3 of your opinions, do</p> <p>25 you see them?</p>
<p>1 off. Would you agree?</p> <p>2 A. Understood.</p> <p>3 Q. Well, would you agree?</p> <p>4 A. Yes.</p> <p>5 Q. I would say, in all fairness for the</p> <p>6 record, there probably were two to three to maybe</p> <p>7 four times when I cut you off, right?</p> <p>8 A. I haven't been counting.</p> <p>9 Q. But my cutting you off is not</p> <p>10 obstructing with you getting your opinions out, is</p> <p>11 it?</p> <p>12 MR. FLAXMAN: Let me object to that.</p> <p>13 That's a -- beyond the scope of the expert</p> <p>14 deposition.</p> <p>15 BY MR. RAGEN:</p> <p>16 Q. Dr. Marcolini, do you feel that I'm</p> <p>17 cutting you off too frequently?</p> <p>18 A. No. We can just move forward.</p> <p>19 Q. Yeah, thank you. The reason I say that</p> <p>20 is because when we go back and show the Judge this</p> <p>21 transcript, Mr. Flaxman keeps saying on the record</p> <p>22 that I'm interrupting you when, in my opinion, I'm</p> <p>23 not.</p> <p>24 A. I should say, though, that you have</p> <p>25 interrupted when he -- when he has brought it up,</p>	<p>Page 122</p> <p>Page 124</p> <p>1 A. I do.</p> <p>2 Q. See the bullet points that are there?</p> <p>3 A. Yes.</p> <p>4 Q. So when reading, The following was</p> <p>5 required by the jail's policy to be done by a</p> <p>6 nurse after and while a detainee is placed in</p> <p>7 restraints, do you see that?</p> <p>8 A. Yes.</p> <p>9 Q. And there is five bullet points?</p> <p>10 A. Yes.</p> <p>11 Q. Is this the standard of care?</p> <p>12 A. Yes.</p> <p>13 Q. Would this be the standard of care</p> <p>14 applicable to any -- and this corresponds to a</p> <p>15 nurse, correct?</p> <p>16 MR. FLAXMAN: Object to the form of the</p> <p>17 question. Corresponds.</p> <p>18 MR. RAGEN: You may answer.</p> <p>19 A. It can be done by a nurse or a</p> <p>20 physician. It can be done by anybody taking care</p> <p>21 of the patient.</p> <p>22 Q. Okay. So look at your opinion, though,</p> <p>23 on page 3. It says --</p> <p>24 A. Yeah.</p> <p>25 Q. I'm sorry, did I cut you off?</p>

<p style="text-align: right;">Page 125</p> <p>1 A. No, you didn't. Sorry, I probably cut 2 you off.</p> <p>3 Q. No, that's okay. It says, The following 4 is required by the jail policy to be done by a 5 nurse after and while a detainee is placed in 6 restraints. Do you see that?</p> <p>7 A. Yes.</p> <p>8 Q. Okay. And those same requirements would 9 apply to Hinsdale Hospital, correct?</p> <p>10 A. This is the jail's policy. So this 11 applies to the jail.</p> <p>12 Q. Right. But I asked you if this is the 13 standard of care of what a reasonably careful 14 nurse should or shouldn't do.</p> <p>15 A. Yes.</p> <p>16 Q. Okay. So this is the standard of care 17 of what a reasonably careful nurse should do, 18 correct?</p> <p>19 A. This is reasonable care. And it 20 conforms with the standard of care of what a nurse 21 should do for somebody who's in restraints.</p> <p>22 Q. Okay. And whether that be on 23 March 12th, 13th, 14th, 15th, 16th, 17th, 18th, 24 19th or 20th, correct?</p> <p>25 A. True.</p>	<p style="text-align: right;">Page 127</p> <p>1 Q. Okay. Then right after that, the 2 sentence says -- well, I'll read it. If any of 3 these components (stasis, muscle damage, 4 hypercoagulability) is present for a prolonged 5 period of time, treatment is indicated to prevent 6 blood clot formation. Do you see that?</p> <p>7 A. Yes.</p> <p>8 Q. And then the next sentence says, This 9 was done at the hospital in the form of Heparin. 10 Do you see that?</p> <p>11 A. Yes.</p> <p>12 Q. Do you want to change that statement?</p> <p>13 A. Why would I want to change my statement?</p> <p>14 Q. Well, we talked at length a long time 15 about --</p> <p>16 MR. FLAXMAN: You're arguing with her 17 now. You know, you asked her if she wanted to 18 change. She said, Why would I want to do that? 19 And now you're going to tell her, Well, you want 20 to do it because A, B, C. Let's try to move on 21 with questions rather than arguing with her.</p> <p>22 BY MR. RAGEN:</p> <p>23 Q. You told me that you don't have an 24 opinion as to whether or not the treatment needed 25 to prevent blood clot formation occurred at</p>
<p style="text-align: right;">Page 126</p> <p>1 Q. The same thing about the ten minutes of 2 limb relief right underneath that, do you see 3 that?</p> <p>4 A. I do.</p> <p>5 Q. And you're saying that the policy 6 requiring ten minutes of limb relief, that is 7 consistent with the standard of care, correct?</p> <p>8 A. It is.</p> <p>9 Q. Okay. And that would apply at any point 10 in time between March 12th and March 20th, 2016, 11 true?</p> <p>12 A. True, when the patient is restrained.</p> <p>13 Q. Right. Independent of the hospital, 14 right?</p> <p>15 A. Yeah.</p> <p>16 Q. Looking at your opinion on page 4 --</p> <p>17 A. Yes.</p> <p>18 Q. -- paragraph on the bottom in the 19 middle, you talk about -- we've talked about how 20 the three common most factors contributing to 21 blood clot formation known as Virchow's triad, 22 muscle wall damage and hypercoagulability, right?</p> <p>23 A. Yes.</p> <p>24 Q. You've explained that, right?</p> <p>25 A. Yes.</p>	<p style="text-align: right;">Page 128</p> <p>1 Hinsdale Hospital; isn't that true?</p> <p>2 A. Well, what I told you was that I 3 couldn't attest to the timing, the dosing, the 4 specifics of it. But I do know that they 5 administered Heparin. So...</p> <p>6 Q. Okay. And so the standard of care 7 requires that Heparin be considered at least as 8 early as the four-hour continuation of restraints, 9 right?</p> <p>10 A. In general, I believe that if I'm 11 renewing a restraint order, I'm starting to think 12 about immobilization and clot formation or 13 propagation.</p> <p>14 Q. Are you ever consulted on patients?</p> <p>15 A. Yes.</p> <p>16 Q. Okay. And as -- does that -- let me 17 know if I'm getting this right. An attending will 18 say I need a certain specialist and they'll call 19 for a consult, true?</p> <p>20 A. True.</p> <p>21 Q. And from time to time, you are called as 22 one of those consultants, true?</p> <p>23 A. Yes.</p> <p>24 Q. Okay. Does the standard of care as a 25 consultant require that you see the patient?</p>

Page 129	Page 131
<p>1 A. If somebody calls me for a consult, they  2 can run the consult by me and describe the case  3 and say if, you know, I have X, Y and Z, and if I  4 can't see the patient, I can still give them my  5 opinion. If it's possible, it's best to see the  6 patient.</p> <p>7 Q. But you said that there's no requirement  8 that if a -- so in this case, if a psychiatrist  9 was called to consult on Mr. Cruz, would the  10 standard of care require that that psychiatrist  11 see Mr. Cruz at any point in time?</p> <p>12 A. It depends on what the consult is for.</p> <p>13 Q. What if it is to see an acute  14 psychiatric patient?</p> <p>15 A. If the consult is to see an acute  16 psychiatrist -- psychiatric patient, then the  17 physician should see the patient.</p> <p>18 Q. And within what timeframe?</p> <p>19 A. It depends on the acuity of the  20 condition that they're being consulted for. Some  21 consults are urgent or emergent, and some consults  22 are not.</p> <p>23 Q. So on the least level of urgency, what  24 timeframe would you expect that order to be  25 executed?</p>	<p>1 Q. Is that important to your opinions?</p> <p>2 A. No.</p> <p>3 Q. Do you know whether or not Mr. Cruz ever  4 had a face-to-face assessment by a physician in  5 connection with his being placed in restraints?</p> <p>6 A. When? Where?</p> <p>7 Q. At Hinsdale Hospital.</p> <p>8 A. I can't recall.</p> <p>9 Q. Would that be important to your  10 opinions?</p> <p>11 A. No.</p> <p>12 Q. Are you aware of the relative risk of  13 patients developing a DVT in terms of their age?</p> <p>14 You know what -- strike that. Let's go back.</p> <p>15 Are there other risk factors for  16 developing a DVT other than immobility and  17 obesity?</p> <p>18 A. Yes.</p> <p>19 Q. Is congestive heart failure one?</p> <p>20 A. Could be, yes.</p> <p>21 Q. Smoking?</p> <p>22 A. Yes.</p> <p>23 Q. Does pregnancy create an increased risk  24 in developing a DVT?</p> <p>25 A. Yes.</p>
Page 130	Page 132
<p>1 A. It's -- there's not a timeframe.  2 There's not a timeframe. It all really depends on  3 what you're consulting for. Sometimes a consult  4 is not going to happen 'til the next day. And  5 that's understood and may be appropriate.</p> <p>6 Q. Would you agree that if a psychiatrist  7 was ordered in Mr. Cruz's case, that the standard  8 of care required that psychiatrist to see Mr. Cruz  9 within 24 hours?</p> <p>10 A. What is the consult being ordered for?</p> <p>11 Q. For like acute psychiatric observation.</p> <p>12 A. So I'm not a psychiatrist, and it's hard  13 for me, if not impossible, to determine how long  14 is an appropriate time for a consult to occur. So  15 I can't.</p> <p>16 Q. Is that because you're unfamiliar with  17 the standard of care as it applies to a reasonably  18 careful psychiatrist?</p> <p>19 A. In terms of psychiatry, I'm not a  20 psychiatrist, so I can't opine on when it's  21 appropriate for the psychiatrist to see the  22 patient after he or she is consulted.</p> <p>23 Q. Do you know whether or not a  24 psychiatrist saw Mr. Cruz at Hinsdale Hospital?</p> <p>25 A. Off the top of my head, I don't know.</p>	<p>1 Q. Are patients that are postpartum, after  2 delivering a baby, are they at increased risk of  3 developing a DVT?</p> <p>4 A. Yes.</p> <p>5 Q. Cancer patients? Some cancer patients?</p> <p>6 A. Yes.</p> <p>7 Q. Not all, correct?</p> <p>8 A. I consider anybody who has a malignancy  9 or who has been diagnosed with a malignancy to be  10 at increased risk of having a thrombus formation.</p> <p>11 Q. Does old age by itself cause an  12 increased risk of developing a DVT?</p> <p>13 A. I don't know.</p> <p>14 Q. Family history of, you know, stroke or  15 embolic events, would that create an increased  16 risk of DVT?</p> <p>17 A. I believe so.</p> <p>18 Q. Cardiomyopathy, would that create an  19 increased risk of DVT?</p> <p>20 A. Yes.</p> <p>21 Q. Atrial fibrillation, would that create  22 an increased risk of DVT?</p> <p>23 A. Atrial fibrillation causes an increased  24 risk of clot formation in the heart, but not  25 necessarily an increased risk of DVT formation,</p>

Page 133	Page 135
1 that I know of.	1 there's a number of books. Do you see them?
2 Q. Was that because it's so distal to the	2 A. I do.
3 type of blood flow disturbance that it might not	3 Q. Yeah. Are they all books related to
4 correlate to the development of clots?	4 your -- the care -- like, you know, your role as a
5 A. Yes.	5 medical doctor?
6 Q. Heart murmurs, same thing as atrial fib?	6 A. Let's see. Of the ones you can see,
7 A. Heart murmurs, I don't consider as an	7 probably yes.
8 increased risk for DVT.	8 Q. Okay. How many of those are related to
9 Q. Lupus, would that create an increased	9 nursing care?
10 risk of developing a DVT?	10 A. None.
11 A. Yes.	11 Q. Do you know if there are any other
12 Q. Antiphospholipid antibody syndrome?	12 experts retained by Plaintiff in this case?
13 A. Yes.	13 A. I don't know of them.
14 Q. What are the conditions -- like so	14 Q. Do you know if they retained a nursing
15 Mr. Cruz had obesity and immobility as his risk	15 expert?
16 factors for DVT, correct?	16 A. I don't know.
17 A. Yes.	17 Q. Did you read Nurse Manlastas's
18 Q. Were there any others?	18 deposition?
19 A. Not that I know of.	19 A. Let's see, did I read it? No.
20 Q. Okay. Do you have an opinion one way or	20 Q. So you're not relying on any of Nurse
21 another if being administered Geodon increased his	21 Manlastas's deposition testimony for your
22 risk for developing a DVT?	22 opinions; is that true?
23 MR. FLAXMAN: Objection, asked and	23 A. That's true.
24 answered.	24 Q. Did you read Ms. Chatman's deposition
25 A. I don't know.	25 testimony?
Page 134	Page 136
1 Q. Okay. What percentage of DVTs come from	1 A. No.
2 the lower extremities?	2 Q. And so it's true that you're not relying
3 A. I don't know the answer to that.	3 on any of her deposition testimony to form your
4 Q. Are you aware of the relative risks of	4 opinions, true?
5 patients developing a risk in the following	5 A. True.
6 specific age categories? Under 40 versus over 65?	6 Q. Are you relying -- strike that. In your
7 A. I don't know.	7 -- whether that be in your -- are you relying on
8 Q. Are you aware of the risk ethnicity	8 any specific medical literature to form your
9 plays in the development of DVTs?	9 opinions here today?
10 A. No.	10 A. No.
11 Q. Okay. Do you believe that ethnicity	11 Q. Are there other things that could cause
12 plays any role in the risk factors of a patient	12 -- so in your opinion, was Mr. Cruz's labored
13 developing DVT?	13 breathing caused by pulmonary emboli or pulmonary
14 A. I don't know.	14 embolus?
15 Q. So -- and I'm not trying to -- I just	15 A. More likely than not, yes.
16 want to understand your opinion. Like it's	16 Q. Are there other medical conditions that
17 possible that ethnicity does play a role in	17 could cause labored breathing?
18 whether a patient develops a DVT; you just can't	18 A. Yes. We reviewed those earlier.
19 tell me one way or the other, correct?	19 Q. Yeah, we talked about those, okay. When
20 MR. FLAXMAN: Objection, objection to	20 -- do you have an opinion as to when -- so DVTs
21 form of the question. It calls for speculation.	21 and PEs are different, right?
22 Compound question.	22 A. Yes.
23 A. I don't know whether ethnicity plays a	23 Q. Okay. DVTs can become PEs, correct?
24 role.	24 A. A DVT can embolize and get lodged in the
25 Q. Okay. The books I see behind you,	25 lungs, which makes it a PE. So it's the same

<p>1 substrate, but the location is different.</p> <p>2 Q. Do you have an opinion as to when</p> <p>3 Mr. Cruz's DVT first mobilized and became an</p> <p>4 embolus in or around the pulmonary anatomy?</p> <p>5 A. No.</p> <p>6 Q. Have we discussed -- and I think we</p> <p>7 have, right, because we went through your C.V. for</p> <p>8 quite a bit, right?</p> <p>9 A. We did go through it.</p> <p>10 Q. You're right, I was going to ask the</p> <p>11 question like have we discussed all the, you know,</p> <p>12 schooling, training, expertise, teaching that is</p> <p>13 relevant to your opinions today?</p> <p>14 A. I believe so.</p> <p>15 Q. Do you know whether or not Hinsdale</p> <p>16 Hospital has a psychiatric unit?</p> <p>17 A. I can't remember if he was in the</p> <p>18 psychiatric unit or if a medicine bed. But I</p> <p>19 don't know.</p> <p>20 Q. Okay. Does it make a difference to your</p> <p>21 opinion?</p> <p>22 A. No.</p> <p>23 Q. Do you know whether he, Mr. Cruz, was on</p> <p>24 a general floor or an ICU floor?</p> <p>25 A. At Hinsdale Hospital?</p>	<p>Page 137</p> <p>1 A. No, I did not.</p> <p>2 Q. And you did not render any opinions</p> <p>3 about the Sheriff's office as an entity, did you?</p> <p>4 A. Not that I'm aware of, unless the</p> <p>5 physician and/or nurses work for the Sheriff's</p> <p>6 office. I don't know the lines of reporting.</p> <p>7 Q. Okay. And if they don't, then you</p> <p>8 didn't render any opinions regarding the Sheriff's</p> <p>9 office?</p> <p>10 A. Correct.</p> <p>11 Q. And in your expert report, there were a</p> <p>12 couple of times you reference jail policies or</p> <p>13 jail protocols. I see, for example, on page 4 of</p> <p>14 your report -- or rather page 5. When you say</p> <p>15 jail policies or protocols, you're just referring</p> <p>16 to the policies or protocols followed by the</p> <p>17 medical personnel who worked in the jail; is that</p> <p>18 right?</p> <p>19 A. Yes.</p> <p>20 Q. And you're not claiming that those were</p> <p>21 Sheriff's policies or protocols; is that right?</p> <p>22 A. I don't know who writes the protocols in</p> <p>23 the structure of the, you know, the medical unit</p> <p>24 there at the jail. So I would imagine that they</p> <p>25 are written in conjunction with the medical</p>
<p>Page 138</p> <p>1 Q. Yeah.</p> <p>2 A. I believe he was on a general floor, but</p> <p>3 I can't be certain.</p> <p>4 Q. Would it make a difference if he was on</p> <p>5 a general floor or ICU floor?</p> <p>6 A. No.</p> <p>7 Q. This is always good when you see me</p> <p>8 going through and crossing everything off. I have</p> <p>9 nothing further. Thanks.</p> <p>10 MR. FLAXMAN: Frank or John?</p> <p>11 MR. POWER: John. Yeah, I think I've</p> <p>12 got a few.</p> <p>13 EXAMINATION</p> <p>14 BY MR. POWER:</p> <p>15 Q. Dr. Marcolini, my name is John Power.</p> <p>16 I'm one of the attorneys for the Sheriff's Office</p> <p>17 Defendants in this case. And actually that's a</p> <p>18 distinction I'm going to go to now.</p> <p>19 Your opinions in your report address the</p> <p>20 medical care and the providers of medical care to</p> <p>21 the Plaintiff; is that right?</p> <p>22 A. Yes.</p> <p>23 Q. And you did not render any opinions</p> <p>24 about the conduct of any Sheriff's officers, did</p> <p>25 you?</p>	<p>Page 140</p> <p>1 personnel.</p> <p>2 So I mean, I'm not judging policy.</p> <p>3 Judging the -- that they didn't follow the policy.</p> <p>4 I'm not sure if I'm answering your question.</p> <p>5 Q. No, I think you are. And the people who</p> <p>6 didn't follow the policies were the medical</p> <p>7 personnel, not -- as opposed to the Sheriff's</p> <p>8 deputies?</p> <p>9 A. Correct.</p> <p>10 MR. POWER: Nothing further. Thank you.</p> <p>11 MR. FLAXMAN: I have nothing further.</p> <p>12 Do you have any questions based on the Sheriff's</p> <p>13 questions, Mr. Ragen?</p> <p>14 MR. RAGEN: No, I don't.</p> <p>15 MR. FLAXMAN: All right. We will</p> <p>16 reserve signature. And if anybody orders it, we</p> <p>17 want a copy.</p> <p>18 THE COURT REPORTER: Mr. Ragen, are you</p> <p>19 ordering?</p> <p>20 MR. RAGEN: Yes, I would like to order,</p> <p>21 please.</p> <p>22 MR. FLAXMAN: I'd like a copy, as well.</p> <p>23 THE COURT REPORTER: Format, Mr. Ragen?</p> <p>24 MR. RAGEN: Etran, please.</p> <p>25 THE COURT REPORTER: Mr. Catania?</p>

<p>1       MR. CATANIA: Etran also, please.  2       (Whereupon the deposition concluded at  3       6:30 P.M.)  4  5  6  7  8  9  10  11  12  13  14  15  16  17  18  19  20  21  22  23  24  25</p>	<p>Page 141</p> <p>1       1 STATE OF ILLINOIS )  2       ) SS  3       2 COUNTY OF McLEAN )  4       3  5       I, SHELLEY MARVIN, CSR in and for the State  6       of Illinois, do hereby certify that EVADNE  7       MARCOLINI, M.D., the deponent herein, was by me  8       first duly sworn to tell the truth, the whole  9       truth and nothing but the truth, in the  10      aforementioned cause of action.  11      That the foregoing deposition was taken on  12      behalf of the Defendants, on the 15th day of  13      March, 2021.  14      That said deposition was taken down in  15      stenograph notes, afterwards reduced to  16      typewriting by me, and is a true and accurate  17      transcription of the testimony; and that it was  18      agreed by and between the witness and attorneys  19      that said signature on said deposition would not  20      be waived.  21      I do hereby certify that I am a disinterested  22      person in this cause of action; that I am not a  23      relative of any party or any attorney of record in  24      this cause, or an attorney for any party herein,  25      or otherwise interested in the event of this  18  19  20  21  22      IN WITNESS WHEREOF, I have hereunto set my  23  24  25</p>
<p>1       IN THE UNITED STATES DISTRICT COURT.  2       FOR THE NORTHERN DISTRICT OF ILLINOIS  3       EASTERN DIVISION  4  5       LETICIA VARGAS, Administrator  6       of the Estate of Angel Cruz,  7       Plaintiff,  8  9       -vs-                   No. 18-cv-1865  10      Judge Steven Seeger  11      SHERIFF OF COOK COUNTY,  12      COUNTY OF COOK, et al.,  13      Defendants.  14  15      This is to certify that I have read the  16      transcript of my deposition taken in the  17      above-entitled cause, and that the foregoing  18      transcript taken on March 15, 2021, accurately  19      states the questions asked and the answers given  20      by me, with the exception of the corrections  21      noted, if any, on the attached errata sheet(s).  22  23  24  25      EVADNE MARCOLINI, M.D.  16      Subscribed and Sworn before  17      me this       day of  18      , 2021.  19  20      Notary Public  21      Return to:  22      Magna Legal Services  23      190 S. LaSalle Street  24      Suite 1220  25      Chicago, IL 60603</p>	<p>Page 142</p>

## Transcript Word Index

[0 - able]

0	17-1/2	2018	5
0	80:14 81:15 83:5 85:14,15	18:3,10	
	86:16		
043	<b>1746</b>	<b>2019</b>	<b>5</b>
52:16 53:3	10:11	24:14 25:1,6	2:16,19,21 10:7,10 20:2
1	<b>17th</b>	<b>2020</b>	91:20 139:14
	125:23	6:3 28:20	<b>50</b>
1	<b>18</b>	<b>2021</b>	16:9,10,17,18,21,21 17:1,6
2:19 5:19,22 6:7,12 13:6	1:5 142:5	143:17	17:6
17:17	<b>1865</b>	<b>20th</b>	<b>500</b>
<b>1:24</b>	1:5 142:5	63:1,13 125:24 126:10	2:9,13
69:7 73:25	<b>18th</b>	<b>21</b>	
<b>10</b>	125:23	26:4	<b>6</b>
2:21 24:17,19,23 26:2	<b>190</b>	<b>22</b>	2:20,22 20:15 69:25 70:2
<b>10:05</b>	142:22	26:4	91:20
69:7 73:25	<b>1983</b>	<b>2210</b>	<b>6:30</b>
<b>100</b>	11:9	70:18	141:3
52:24 60:1	<b>19th</b>	<b>24</b>	<b>60602</b>
<b>101</b>	125:24	130:9	2:10,14
52:19,22		<b>26</b>	<b>60603</b>
<b>11</b>		7:9,17,18,19 23:17	142:23
23:7 24:17	<b>2</b>	<b>294</b>	<b>60604</b>
<b>12</b>	2:20 6:14,17 17:17 65:13	52:21 56:5 70:6	2:6
23:8 70:14,18	<b>2:35</b>	<b>29th</b>	<b>65</b>
<b>12:43</b>	69:2	143:17	134:6
53:15 54:9,14 56:21,23	<b>2:40</b>		<b>7</b>
57:3,11,19 59:9 64:20 65:2	92:14 93:12 97:15 101:4		<b>7</b>
74:4	112:22		2:20 8:3
<b>120</b>	<b>200</b>	<b>3</b>	<b>70</b>
70:5	2:5 24:24	2:20 7:5,8 9:13 19:25 20:2	2:22
<b>1220</b>	<b>2000</b>	123:24 124:23	
142:22	11:19 70:15	<b>3/13</b>	<b>8</b>
<b>12th</b>	<b>2001</b>	52:16	<b>84-003926</b>
53:2 62:25 63:11 125:23	18:10,23	<b>3:00</b>	1:19 3:5
126:10	<b>2003</b>	1:14 3:3	
<b>13</b>	18:25	<b>3:01</b>	<b>9</b>
23:16,24 65:2 69:6 70:21	<b>2004</b>	4:2	2:21
71:2,12 73:12,21 74:4	11:14,19 12:7,24 13:1	<b>360</b>	<b>9:14</b>
<b>138</b>	<b>2007</b>	52:25	71:2 72:25 73:1,3,12,21
2:17	12:7,25 13:2	<b>362</b>	<b>9:15</b>
<b>13th</b>	<b>2008</b>	52:22	71:12
53:3,15 54:9,14 56:21,23	24:13,24 25:1		<b>9:19</b>
57:3,10,19 59:9 64:20	<b>201</b>	<b>4</b>	71:16 73:2
71:17 125:23	2:5	<b>4</b>	
<b>14th</b>	<b>2010</b>	2:21 9:14,17 19:25 20:2	<b>a</b>
62:25 63:12 65:9,14,19	17:25	28:22 44:14,20,21 119:5,8	<b>a.m.</b>
125:23	<b>2011</b>	126:16 139:13	53:15 54:9,14 57:3,11,19
<b>15</b>	25:15	<b>4:11</b>	59:9 64:20 65:2 69:7,7 71:2
1:13 3:3 142:10	<b>2014</b>	52:11	71:12,16 73:1,12,21,25,25
<b>15th</b>	17:25 18:3	<b>4:23</b>	74:4 92:14 97:15 101:5
63:1,12 125:23 143:7	<b>2016</b>	59:2	112:22
<b>16th</b>	40:4,7 43:14 47:11 62:25	<b>4:34</b>	<b>ability</b>
6:3,6 125:23	63:1,12,13 64:20 65:2 69:6	65:22	89:16 116:15
<b>17.5</b>	70:14,18,22 71:2,12 73:12	<b>40</b>	<b>able</b>
45:3	73:21 74:4 104:24 105:2,4	134:6	28:25 29:4 51:11 55:23
	105:8,11,22 126:10		115:23

## [abms - asking]

abms	admitted	amount	apart
25:21,23 26:6	58:7,9	8:21 89:8,11,16 123:9	20:19
absolutely	advance	analysis	apologize
4:13 75:2 83:9 113:5	11:5	31:8	11:5
acceptable	advanced	anatomy	appear
46:18	21:21 22:8,10,14	137:4	7:1 9:23 23:21 70:10
accepted	aeromedical	anderson	appearances
34:19	18:5	93:12	2:2
access	affiliated	angel	appears
41:11	40:25 41:1,1	1:4 45:2 80:13 85:13 86:16	6:2,24 20:14,16
account	aforementioned	93:2,13 95:9,13 117:12	applicable
118:24	143:6	120:7 142:4	124:14
accurate	age	angel's	applies
79:18,19 143:10	131:13 132:11 134:6	45:7	64:2 125:11 130:17
accurately	agitated	angina	apply
142:10	109:2	106:8	94:12 105:25 125:9 126:9
acquire	ago	annals	appreciate
103:5	14:17 116:25	26:10	23:19
acting	agree	annoying	appropriate
61:2	4:8,11 36:1 37:5 39:15,17	56:14	45:9 46:19,20 50:3 120:1,6
action	48:7 62:9 63:6 68:20 74:6	answer	120:9,24 121:1,15 130:5,14
143:6,13,15	106:3 112:6 116:18 117:25	5:16 23:25 30:12 46:4	130:21
actions	118:2 122:1,3 130:6	57:21 66:4 68:15 72:15	appropriately
63:5 81:23,24	agreed	83:20 84:8,11 85:22,24	87:2 119:10 120:5 121:14
activator	4:25 79:23 143:11	87:1 95:24 101:17 105:19	121:16
90:18 98:1	ahead	109:19 111:6,22 112:24	area
active	18:21 113:1	113:2 114:11 115:2,3,8,10	13:17 19:10
40:16 82:3	airway	115:10 119:3 121:10,23	areas
activities	93:23,24 94:1	123:7,16 124:18 134:3	19:15,19 22:18
21:16 22:22	aiste	answered	argue
acuity	33:5,19 70:17 77:10	86:14 117:24 118:3 119:21	120:3
129:19	al	133:24	arguing
acute	1:7 142:7	answering	127:16,21
129:13,15 130:11	alerted	68:17 99:10,17 111:19	arrest
adams	95:12	121:3 140:4	115:24,24
16:2	algorithm	answers	arriving
add	94:13	142:10	16:23
17:21 108:23	alice	anthony	arteries
address	39:21,23,23 40:10,15,20	31:10	87:25
138:19	41:5	antibody	article
adequate	alive	133:12	8:12 37:15 38:12
94:12	95:14	anticipate	articles
adequately	allowed	57:24 58:6	7:25 8:2 22:22 37:7,10 38:6
94:4	15:7,11	anticipated	arts
administer	altered	58:12	11:11
50:16 90:11 120:7,11	108:24	anticoagulant	aside
121:15	ambiguity	49:22 55:10 75:6 83:13	14:20
administered	73:4	84:16,24 98:1	asked
60:11 61:6 67:14 70:22	ambulate	antiphospholipid	16:24 23:23 67:6 83:2
72:24 73:5,15 80:12 112:21	51:11,15	133:12	105:15 117:23 118:2
113:9 119:11,16 120:6,20	america	anybody	119:20 125:12 127:17
121:14,16,18 128:5 133:21	35:6	124:20 132:8 140:16	133:23 142:10
administrative	american	anymore	asking
17:24	8:14 25:5,24 26:10,12	105:23	14:13 27:11 32:12 34:8
administrator	27:17	anyway	38:18 43:24 46:7,8 47:7
1:3 142:3		11:4 101:14	48:17 55:8 63:17 68:18

## [asking - care]

asking (cont.) 71:3 81:12 82:25 86:6,6 94:5,7 98:3 112:3 120:18	avenue 2:5	better 57:8 80:22	build 16:24
asks 45:25	avoid 51:19 83:13 84:17,21	beyond 122:13	bullet 124:2,9
assessed 91:15,23 92:1	award 20:25 21:3	big 27:9 90:4 112:11 115:12	burden 89:4,8,15,19,22 97:22 111:13,14 112:15 113:4,17 115:12 116:6,9,11,17
assessment 34:18 83:11 92:21 93:1,6 94:20 95:8,18,20 96:4,7,12 97:2,14 110:12,23,25 131:4	awards 18:9,13 19:6 20:13,23	bigger 116:8,11	business 11:12
assist 36:2 42:10	aware 72:7 102:25 131:12 134:4,8 139:4	bilateral 112:10	busters 90:7
assistant 2:9	awesome 20:8	bioethics 21:8,9,11	
assistants 22:11	<b>b</b>		
associate 19:22	baby 132:2	bit 7:14 56:2 61:9 87:20 89:3 137:8	<b>c</b>
associated 106:5 107:8	bachelor 11:11	blood 87:24 88:3,5,5,8,16 113:16 113:19 126:21 127:6,25 133:3	c.v. 7:9,23 9:3,5,11 17:18 19:16 19:18 20:10 23:8 27:4,10 27:14,23 28:4,9,14 137:7
association 57:18	back 9:2 37:3 40:4 57:10 61:23 72:17 77:7 79:10 83:22 88:20 97:9 114:21 115:5,24 118:4 120:16 122:20 131:14	bloodstream 87:23	calf 107:10 109:1
assume 5:17 65:6 66:21 67:1,24	barkauskaite 33:5,19 70:18	blue 101:9	california 35:18
assumes 45:24 62:17 74:20 83:17	barkauskaite's 77:10	board 15:12 24:6,8,12,25 25:5,15 25:21,24 26:6	call 88:6 128:18
assuming 39:3	based 29:1 43:5 58:1,2 62:7 73:23 85:11 86:9 140:12	boards 25:8,10,11	called 4:4 94:21 101:10 128:21 129:9
assumption 67:10 68:19,22	basically 35:20 66:5 90:20	body 93:25 94:3	calling 93:16,18
assumptions 67:9,22	basing 111:10,11	books 23:7,7 134:25 135:1,3	calls 119:25 129:1 134:21
atls 27:15	basis 10:5 42:24 68:20 86:4	bottom 126:18	cancer 132:5,5
atrial 132:21,23 133:6	bed 137:18	box 35:16	cardiac 14:8 115:24,24
attached 142:11	bedside 21:20	boy 58:23	cardiology 12:14 14:21
attempting 22:4	behalf 3:2 4:22,23,24 143:7	braden 110:22,25	cardiomyopathy 132:18
attending 19:21 128:17	belies 95:2	brain 42:20	cardiovascular 115:15
attest 128:3	believe 28:19 31:20 32:2,7,19,23 53:17 69:16 73:2,15 76:18 91:7 92:10 128:10 132:17 134:11 137:14 138:2	break 36:22,25 37:1	care 12:11,12 14:4 15:22 16:2 16:10,18,22,25 17:2,3,6,7 17:11,12 18:4,15 21:22,23 24:7,9 25:12,15 31:20 32:2 32:8,20,22,23,25 33:6,11 33:16,23 34:1,2,14,15,18 35:1,8,23 36:3,3,6,10,15 37:19,24 38:3,9,17 39:2,8 43:10,16,21 44:2,5,7,10 45:11,16,21,22 46:10,12,14 46:23 47:24 48:7,8,9,10,13 48:15,18,20,21,22 49:1,5 49:14,15,23 50:9 53:18 54:2,6 56:20,25 57:16 60:10,13,23 61:8 62:6,10
attorney 2:7,9 143:14,14	bell 33:20	breath 107:24	
attorneys 9:1,22 138:16 143:11,15	benefitted 96:22	breathing 93:16,22 94:4,5,7,15,18 101:9 106:2,5,14,18,21,24 136:13,17	
attract 88:13	best 38:24 101:18 129:5	brief 37:1 73:18	
authored 8:13		brought 122:25	
autopsy 29:10 91:7 112:16			

## [care - conclude]

care (cont.)	certain	choking	cmes
62:14,22,25 63:3,8,14,16	35:12,13,17 38:3 40:11	93:24 94:3	102:19
63:18,21,23 64:2,4,6,11,25	67:14 89:21 90:3 102:15	choose	code
77:22 78:2,21 79:4,8 85:13	103:6,9 109:23 114:15	26:5	3:7 100:19 101:9
86:7,7 87:6,9,12,13 92:11	128:18 138:3	chronological	coded
92:13 94:14 96:3,14 100:15	certainly	8:6	100:18,20 101:21
103:16 104:8,25 114:17	12:17 19:24 76:3 108:25	circumstance	collapsed
117:21 118:4,12,17 119:4	25:21	circumstances	95:23 96:10
121:1 124:11,13,20 125:13	certified	34:23 36:17	college
125:16,19,20 126:7 128:6	15:12 24:6,8,12,25 25:15	civil	8:15 11:7
128:24 129:10 130:8,17	26:6	claiming	combination
135:4,9 138:20,20	certify	139:20	17:2
careful	142:8 143:4,13	clarification	coming
34:22 35:9,23 36:6,11,15	cetera	57:20	25:19,20
63:9,15,19 79:19 103:15	14:9	clarify	commenced
125:13,17 130:18	challenging	19:3	4:1
caring	39:15 68:25	clean	common
31:21	chance	72:9	126:20
case	25:3 51:9 55:11 89:9 95:15	cleaned	community
5:25 9:25 16:14 31:17	97:18	109:5,24 110:1	34:20
40:22 41:18 42:1,11 46:2	change	cleaning	compare
54:8 65:5 78:25 85:13	24:1 38:3 62:10 63:23	109:9 110:3	37:10 82:25 83:2 86:7
105:25 129:2,8 130:7	127:12,13,18	clear	compared
135:12 138:17	changed	112:2	80:25
cases	24:20	cleared	comparing
6:18 104:6,9	changes	94:2 121:22	37:21,23 82:19,23,24
catania	63:20 64:5,5	clinical	comparison
2:12 67:20 77:8 140:25	changing	12:11 16:8 108:8,17	81:9
141:1	8:10	clinically	competent
catch	chapters	107:5,21	64:9 103:16
114:6,8,19,25	23:6	closely	complaint
categories	characteristics	47:6	109:3
134:6	107:20	clot	complete
causation	chart	45:1,4,5,10 60:16,17 75:13	19:24
87:17	41:10 52:24 55:21,23,24	81:18,20,22,25 82:1,5,8,9	completed
cause	56:3 58:22,24,25 61:24	82:10 83:3,9,13 84:17,22	12:4
31:8 45:7 75:23 80:18	65:20 66:3 85:10 87:10	85:18 86:15,17 87:22 88:1	complied
106:24 112:18 123:20,21	118:5	88:14,17,18,23 89:1,3,8,15	49:1,4 62:13,21 64:10
132:11 136:11,17 142:9	charted	89:19,22 90:1,7,8,22,23	complies
143:6,13,14	70:17 73:6	97:22 111:12,14 112:9,15	48:22
caused	charting	113:4,13,13,17 114:5	comply
50:16,19 75:25 87:7 136:13	73:17	115:12,16,17,17 116:5,6,8	48:14 118:11
causes	chatman	116:11,17 119:12 120:8	complying
113:14 114:13 115:23	32:5	126:21 127:6,25 128:12	48:20 49:14
132:23	chatman's	132:24	components
causing	135:24	clots	127:3
45:6	cherri	88:23 112:10 116:2,4,5	compound
center	29:22	133:4	45:25 76:25 134:22
2:9,13 15:10 16:3,16 21:8	chest	clues	compromise
39:21	45:3 95:1	66:3	114:1
cermak	chicago	clump	concept
30:20,22 31:3 43:13 46:15	2:6,10,14 142:23	88:2	34:13
46:23 47:11,22 48:3 52:1	chime	cme	concerning
77:21 80:5,7 81:23 83:4	56:3	102:21	40:2,15 46:16
86:7 108:22 123:10,18			conclude
			87:11 117:16

## [concluded - day]

concluded	continuation	counting	cruz (cont.)
141:2	128:8	122:8	95:13 96:8,22 97:18,24
conclusion	continue	countryside	100:14 101:21 108:18,23
43:4	26:5	29:13 65:13,20	117:21 123:12 129:9,11
condition	continuing	county	130:8,24 131:3 133:15
63:4 90:1 97:15 114:18,24	102:15,25 103:4	1:6,7 2:8,11 4:24 29:16	137:23 142:4
115:14 116:12 129:20	contrary	30:24 78:12,19,22 79:5	cruz's
conditions	83:17	81:4 82:17 91:9 142:6,7	44:19,24 64:18 87:17 89:19
106:23 133:14 136:16	contributed	143:2	97:15 111:11,12 112:15
conduct	80:17	couple	130:7 136:12 137:3
138:24	contributing	44:15 67:15 139:12	cry
confidential	126:20	course	96:16,17
104:19,22	cook	14:21 31:24	crying
conforms	1:6,7 2:8,11 29:16 30:24	courses	92:23
125:20	78:12,18,21 79:5 81:4	21:11 27:21,22	csr
confusion	82:17 91:9 142:6,7	court	1:19 3:4,5 143:3,22
121:21	cookcountyil.gov	1:1 3:8 6:12 69:25 79:12	current
congestive	2:10,14	97:11 114:23 115:7 140:18	9:6 16:8 105:1
106:16,17 131:19	copy	140:23,25 142:1	currently
conjunction	70:10 104:23 105:6 140:17	covered	16:6,7 39:19,20
116:12 139:25	140:22	27:4,7 31:15 111:7	curriculum
connection	correct	covid	103:25
31:16 57:2 131:5	7:1 8:1 9:9 10:25 11:1 12:3	40:21	cut
consider	13:12,13,18,19 15:10,15,19	cowley	121:2,4,25 122:7 124:25
60:9,14,18 61:13 95:5	17:13,14 19:8,9 21:23 22:1	16:2	125:1
132:8 133:7	22:5,6,8,9 24:15 25:6,7,16	cpoe	cutting
considered	26:15,21,22,24 27:5 29:2,3	53:6	122:9,17
128:7	30:15 31:17 32:10 33:21	cpr	cv
considering	34:3 35:11,14,24,25 37:7	100:18	1:5 142:5
61:1 110:10,10	37:11,19 40:12 41:19 42:2	create	cycle
consistent	43:22 44:5,10 45:22 46:12	34:2 44:2 46:10 131:23	113:24 114:6,8
30:24 34:24 43:21 44:4,6	47:3 48:20 49:15 50:13,18	132:15,18,21 133:9	<b>d</b>
44:10 46:12,14 48:8,9	51:6,20 52:1,2 53:10,15	created	d.o.
59:19 61:5 126:7	54:16 55:12,15,16 56:22	45:3 77:23 78:3	22:4
constraint	57:14 60:24 61:14,15,17	credentialed	daley
99:14	62:2,3,11 64:23 71:17 73:9	39:18 40:20,22 103:20	2:9,13
constraints	73:16,21,22,25 76:16,24	critical	damage
99:16	77:24 85:1 86:12 91:4	15:22 16:1,10,18,21,25	126:22 127:3
consult	92:17,18,21 95:18 96:14	17:2,3,6,7,11,12 18:4 21:23	danger
128:19 129:1,2,9,12,15	97:3,6,15,20 102:17,21	24:7,9 25:12,15	53:24 54:4
130:3,10,14	107:22 108:22 109:24	critically	daphne
consultant	114:8 118:1 124:15 125:9	16:3	10:18,19,23
128:25	125:18,24 126:7 132:7	crossing	dartmouth
consultants	133:16 134:19 136:23	138:8	39:20 40:10,15,20 41:2
128:22	139:10 140:9	cruz	date
consulted	correctional	1:4 31:21 49:24,25 50:12	6:4 27:2 65:18 73:5,5 92:17
128:14 129:20 130:22	109:13	51:4,10 52:13 54:9,18	dated
consulting	corrections	55:12,19 56:21 57:14 58:12	6:3,5
130:3	142:11	59:8,13 61:22 62:14,22	dates
consults	correctly	63:10 64:12,22 65:1,3,7,17	63:20
129:21,21	44:16	66:22 67:24 69:5,20 71:1	david
contained	correlate	72:23 73:15,20 74:8,17,25	29:20
27:9	133:4	75:14,19 76:1,22 77:23	day
context	corresponds	78:4,11,18 80:4 81:1 82:14	39:21,24 40:21 41:6 130:4
84:6	124:14,17	82:21 84:1 85:5,13 86:16	142:17 143:7,17
		91:6,15,23 92:14 93:7	

## [days - dos]

<b>days</b> 116:25	<b>depends</b> 89:25 90:1 113:11 114:6,18 114:24 115:12,14 116:5 118:18 129:12,19 130:2	<b>develops</b> 134:18	<b>discovery</b> 105:14
<b>dead</b> 45:20	<b>deviate</b> 62:6 118:16	<b>discredit</b> 20:9	<b>discrediting</b> 19:2
<b>deal</b> 14:2,3	<b>deploy</b> 90:21	<b>deviated</b> 31:20 32:2,7,19,23 33:5,11 33:15 64:10 92:11	<b>discriminating</b> 19:2
<b>death</b> 45:8 75:24 87:17 92:17 112:18 114:13	<b>deponent</b> 4:4 143:4	<b>deviating</b> 60:23	<b>discuss</b> 23:12
<b>december</b> 28:19	<b>deposition</b> 1:12 3:1,6 4:1,9 5:1,19 6:14 6:19,20 7:1,5 9:14 10:3,7 28:12 29:18,20,22,24 30:1 30:3,5 32:15,16 47:15,23 48:2,11,23 49:17 59:11 70:2 76:18 77:11,13 122:14 135:18,21,24 136:3 141:2 142:9 143:7,9,11	<b>deviation</b> 37:18 39:8 47:24 61:7 79:7 87:6,6 96:3,13 117:21 118:1	<b>discussed</b> 102:16 137:6,11
<b>decide</b> 16:5	<b>depositions</b> 76:19	<b>deviations</b> 38:8	<b>disease</b> 12:15,17
<b>decision</b> 12:11	<b>deputies</b> 140:8	<b>diagnosed</b> 91:6,9,25 92:3 132:9	<b>disinterested</b> 143:13
<b>declaration</b> 10:11,11	<b>deputy</b> 93:12	<b>diagnosis</b> 110:11	<b>disruption</b> 88:8
<b>decrease</b> 55:11	<b>describe</b> 16:7 129:2	<b>didactic</b> 11:22 21:20	<b>distal</b> 133:2
<b>deemed</b> 48:18	<b>described</b> 93:1 95:8	<b>die</b> 89:24	<b>distinction</b> 31:2 48:25 49:13,18 138:18
<b>deep</b> 75:25 119:11 120:8	<b>describing</b> 65:5	<b>died</b> 113:9	<b>distinctly</b> 40:24
<b>defecated</b> 109:6,24 110:3	<b>designed</b> 13:20 81:16 101:17	<b>dies</b> 113:22	<b>distress</b> 93:17 94:15
<b>defecation</b> 109:9	<b>desk</b> 9:1	<b>differ</b> 33:1 35:9 62:25 63:10	<b>district</b> 1:1,1 142:1,1
<b>defendant</b> 2:11	<b>despite</b> 114:16	<b>difference</b> 48:24 73:8 96:6 137:20 138:4	<b>disturbance</b> 133:3
<b>defendants</b> 1:7 2:7 3:2 4:25 138:17 142:7 143:7	<b>detainee</b> 124:6 125:5	<b>different</b> 5:23 8:23 12:18 14:10 15:7 34:11 36:2 37:10,14 38:22 53:1 64:6 91:1 96:23 100:13 136:21 137:1	<b>divided</b> 17:3
<b>deficiencies</b> 47:5,7,9	<b>detected</b> 91:16	<b>difficult</b> 39:11	<b>division</b> 1:2 142:2
<b>deficient</b> 47:2	<b>determine</b> 39:5 43:3,20 52:13 68:4 89:13 130:13	<b>difficulty</b> 93:22	<b>doctor</b> 15:14,14,19,22 17:13 18:19 18:23 19:14 20:5 22:13 28:5 102:23 109:13 135:5
<b>define</b> 34:14,21	<b>develop</b> 16:24 33:10 38:18 41:18 55:12 77:24 78:4 80:7	<b>direct</b> 15:12 22:19	<b>doctors</b> 32:14 86:11
<b>definitions</b> 49:9	<b>developed</b> 50:12,13 51:10 74:25 76:4 80:5 86:15,18	<b>director</b> 18:4 19:5	<b>document</b> 6:3,25 7:9 9:18 42:23 53:23 54:1 56:15
<b>degree</b> 11:15 12:1 101:25	<b>developing</b> 36:2,5,10,14 37:5 42:10 51:5 75:8 123:22 131:13,16 131:24 132:3,12 133:10,22 134:5,13	<b>disagree</b> 69:9 73:19 76:21	<b>documentation</b> 103:10
<b>delay</b> 96:16	<b>development</b> 81:17 85:17 133:4 134:9	<b>disappointed</b> 5:7,9	<b>documented</b> 108:20
<b>delivering</b> 132:2		<b>discharged</b> 65:25	<b>documents</b> 105:13
<b>dep</b> 4:11 59:17		<b>discover</b> 90:22	<b>dog</b> 36:23
<b>department</b> 12:13 14:1,7 16:11 18:1 21:2 65:14		<b>discovered</b> 90:2 93:7 113:11	<b>doing</b> 15:1 48:25 75:21 99:11 101:18 118:5 121:6
<b>departments</b> 14:2,3			<b>door</b> 77:7
<b>depend</b> 118:19,21			<b>dos</b> 22:7

## [dose - exhibit]

dose	e	emergency (cont.)	ethics
54:24 70:14,22	earlier	16:11,17 17:1,11 18:1 21:2 21:4,12,22 24:7,8,13,18,19 24:25 25:5,11 26:11,12,13 26:23 27:17,19,19	21:12,13,14
doses	earliest	73:11	ethnicity
67:14	early	113:24 128:8	134:8,11,17,23
dosing	eastern	1:2 142:2	etran
121:18 128:3	economics	11:11	140:24 141:1
double	education	102:16 103:4,15	evading
11:3 75:21 79:14 80:1	educational	103:1	79:24
doubt	effect	43:14 104:24	evadne
49:8	effective	39:6	1:12 3:1 4:3 142:15 143:4
downhill	efficacious	37:16	evaluation
88:13	either	15:14 19:4,6 32:18 38:13 41:13 45:9 50:16 51:1 53:21 60:19,22,25 65:5 75:5 78:22,25 81:17 86:17 88:3 97:25 100:7 103:20 143:16	34:18
downstream	electronic	5:24	evasive
45:6 88:19,20	elizabeth	29:24	99:14
dr	em	16:21 17:6	event
5:4 10:23 29:11 32:3,7,9,12 32:19,21 39:14 46:5 48:25 52:17 53:9,11,14 58:12,16 58:22 59:1,5,7,13,17 62:5,8 62:13,21 64:10,13,22 65:1 66:20 67:18 68:16 72:21 74:3 77:20 84:9 99:13 100:3 109:20 111:24 121:9 122:16 138:15	20:23 143:15		
draft	emboli	90:3 123:5 136:13	events
38:6	embolic	132:15	68:19 132:15
drafted	embolism	78:10 81:2 89:5 91:5,8,16 91:24 92:2 93:8 97:19 98:14 99:2 106:13 114:13 114:25	eventually
5:25	embolisms	114:15	17:5
draw	embolize	136:24	evidence
48:24	embolized	86:19	62:18 63:24 68:9 85:11
dropped	embolus	45:5,7 50:13 76:1 87:7 93:3 95:6,10 99:3 112:5 116:1 136:14 137:4	evidentiary
17:5	emergency	8:13,15 12:10,12 13:9,11 13:21 14:1,1,6,20 15:9 16:9	3:6
drs			exact
33:1 62:8			41:3
duly			exactly
4:5 143:5			44:8 51:16
dv			examination
123:5			2:15 5:2 96:7,8,13 138:13
dvt			examined
50:19 51:13,19 55:12 64:18 68:5,8 74:17,25 75:3,8,13 75:18,24,24 77:14,17,24 78:4,10,13,17,20,23,24 79:2 80:4 81:1 82:13,20 107:9,12,20 108:9,15,16 110:4,11,14,15 123:12,15 123:22 131:13,16,24 132:3 132:12,16,19,22,25 133:8 133:10,16,22 134:13,18 136:24 137:3	4:5		
dvts			examiner's
24:2 50:12,15 51:5,10 76:4 107:4,14,17 110:19 134:1,9 136:20,23			31:5 97:20
dyscrasia			example
88:8			12:23 33:24 37:6 48:17 139:13
			examples
			44:13
			excellence
			21:6
			exception
			35:14 142:11
			executed
			129:25
			executing
			45:9
			exercises
			61:6,21 66:24 68:3,11 74:14 80:10,21 118:14
			exertion
			106:10
			exhibit
			2:19,20,20,21,21,22 5:19 5:22 6:7,12,14,17 7:5,8 9:13,14,17 10:7,10 28:22

## [exhibit - frequently]

<b>exhibit (cont.)</b> 69:24 70:2	<b>fails</b> 113:23	<b>first (cont.)</b> 69:17,19,21 73:14,20 90:14 91:5 93:23 110:9 119:16 137:3 143:5	<b>forgetting</b> 14:24
<b>exhibited</b> 108:19,21	<b>failure</b> 50:16,17 80:9 96:12,15 106:16,17 113:20,21 131:19	<b>five</b> 24:20 71:21 73:8 91:11 107:1 124:9	<b>forgot</b> 71:18
<b>exhibits</b> 2:18	<b>fair</b> 5:17 8:21 10:23 12:25 13:22 39:9 59:6,9,10,24	<b>fix</b> 114:2	<b>form</b> 34:4 36:18 39:10 42:3,23 45:23 63:2 83:16 87:24 88:9 91:1 97:4 105:20 109:11 110:7 112:25 119:17 124:16 127:9 134:21 136:3,8
<b>exist</b> 35:18,19	<b>fairness</b> 23:23 122:5	<b>flaxman</b> 2:3,4,4 4:13,22 28:18 34:4 34:7 36:18,22 39:10 42:3 45:23 56:10 62:16 63:2 66:11 67:2,10 68:7 71:23 72:3,8,13 74:19 76:15,25 77:16 83:16 84:4 85:23 97:4 99:9,15,20 105:9,12 105:17 109:11,17 110:7 111:18,22 117:23 119:17 119:20 120:2 121:2 122:12 122:21 124:16 127:16 133:23 134:20 138:10 140:11,15,22	<b>formal</b> 21:20
<b>existed</b> 110:5	<b>fall</b> 48:14	<b>floor</b> 137:24,24 138:2,5,5	<b>format</b> 8:10 19:16 140:23
<b>expect</b> 129:24	<b>familiar</b> 40:6,14,24 61:20 110:22	<b>flow</b> 88:5 133:3	<b>formation</b> 45:1,4,5,10 60:16 78:23 79:7 83:13 84:17,19,20,22 88:12 119:12 120:8 123:15 126:21 127:6,25 128:12 132:10,24,25
<b>expected</b> 59:13	<b>family</b> 132:14	<b>fluid</b> 94:23 100:15	<b>formed</b> 68:5,8 74:17 75:3,14,24,25 77:15,17 78:11,14,18,21,24
<b>experience</b> 27:8 28:7	<b>february</b> 6:3,6	<b>fluids</b> 98:11 100:11 114:3	79:2,3 81:22 82:8,15,16,21 83:3,9 87:22,23 88:1,7 123:5,12
<b>expert</b> 9:19 67:16 77:7 122:13 135:15 139:11	<b>federal</b> 4:10 99:23	<b>focus</b> 19:24 64:16 87:3 118:6	<b>forming</b> 77:14 82:10
<b>expertise</b> 137:12	<b>feel</b> 122:16	<b>focused</b> 16:3	<b>forms</b> 87:25 88:1
<b>experts</b> 34:20 135:12	<b>fellow</b> 15:22 36:5	<b>focusing</b> 108:25	<b>formulate</b> 66:14
<b>explain</b> 57:21 87:21	<b>fellows</b> 21:3,6,21 22:7	<b>folks</b> 62:8	<b>forward</b> 122:18 123:2
<b>explained</b> 126:24	<b>fellowship</b> 16:2,15 17:10 102:12	<b>follow</b> 140:3,6	<b>found</b> 8:3 23:16 93:15 94:17 96:9
<b>extent</b> 111:10 112:15	<b>fib</b> 133:6	<b>followed</b> 139:16	<b>foundation</b> 66:21
<b>extremities</b> 107:11,14 109:10 123:6 134:2	<b>fibrillation</b> 132:21,23	<b>following</b> 124:4 125:3 134:5	<b>four</b> 6:25 20:15,19 45:3 60:7,12 61:4,10,12 69:6 73:24 118:10 122:7 128:8
<b>extremity</b> 107:15,18 108:2,13 110:12 110:13	<b>figure</b> 39:11	<b>follows</b> 4:6	<b>foxx</b> 2:7
<b>f</b>	<b>finally</b> 10:10 72:15	<b>foregoing</b> 142:9 143:7	<b>fractionated</b> 91:3
<b>face</b> 131:4,4	<b>find</b> 23:7 49:18 55:21,22 65:21 66:3,3 71:14 73:18 90:22 93:21 94:10 101:17,18	<b>foreign</b> 93:25 94:3	<b>francis.catania</b> 2:14
<b>fact</b> 45:2 60:14 73:20 76:22 82:1 96:25 105:14	<b>finding</b> 43:4 106:3	<b>forever</b> 6:11	<b>frank</b> 2:12 56:3 138:10
<b>factor</b> 116:18,19	<b>fine</b> 69:15 71:25 79:21 101:2 115:3	<b>forget</b> 6:10	<b>frequency</b> 121:18
<b>factors</b> 89:13 126:20 131:15 133:16 134:12	<b>finger</b> 94:10		<b>frequently</b> 26:21 80:20 122:17
<b>facts</b> 45:24 76:16 83:17	<b>finish</b> 76:19 121:23		
<b>factual</b> 68:20	<b>finished</b> 17:10 85:25 99:21 100:2		
<b>faculty</b> 17:15 21:3	<b>first</b> 4:5 8:8 11:21 12:20 19:21 25:3,8,11 26:3 28:18 43:25 50:7 55:4,18 69:13		
<b>failed</b> 97:14			
<b>failing</b> 96:3 115:23			

[front - hope]

<b>front</b> 6:22 7:15 13:5 52:7	<b>goal</b> 44:9	<b>hang</b> 101:11	<b>heparin (cont.)</b> 50:16,25 51:2,5,20 54:10 54:19,24 55:19 57:1,17 58:2,9 60:5,11,21 61:6,13 61:18 66:23 67:4,12,13 68:2 69:13,17,19,22 70:14 70:22 71:2,4,7,9,11,14,15 72:13,24 73:15,20 74:9 75:6 76:9,23 80:12,16 83:15,22 84:24 90:12 91:1 98:5 99:4,5 100:5,6,16 119:11,16 120:6,7,21,24 121:14,15,16 127:9 128:5,7
<b>frozen</b> 4:19	<b>goes</b> 88:21	<b>happen</b> 49:2,3,8 130:4	<b>heparinized</b> 120:15
<b>full</b> 19:23	<b>going</b> 5:12 6:10 7:22,23 20:17,22 22:5 23:4,11,18,24 26:5 29:9 31:22 34:9 36:22 38:21 43:17 44:18,18 48:3 49:2,8 51:15 54:22 56:12 57:10,21,24 58:17,23 59:3 59:22,23 64:3 66:8,15 68:23 69:14,16,24 72:8 78:7 82:2,11 87:20 88:13 89:2 92:24 95:4 115:1 116:10 120:2,3,16 127:19 130:4 137:10 138:8,18	<b>happened</b> 77:4 81:13 82:6,7	<b>hereunto</b> 143:17
<b>further</b> 138:9 140:10,11		<b>happening</b> 60:19	<b>high</b> 89:22 97:22 108:14
<b>furtherance</b> 12:1 19:7		<b>hard</b> 113:15,19 115:22,23,25 116:6 130:12	<b>higher</b> 103:12
<b>future</b> 10:2 57:17		<b>harder</b> 113:18 115:20 116:9	<b>hinsdale</b> 30:14 32:9,17,22 41:7,10 41:16,20,22,24 42:9,13,24 43:5 50:4 52:1,4 55:18 56:2 56:4 61:21 65:7,17 66:8,10 66:13,22 67:17,25 68:5,9 69:5,20 70:11 74:9,12,14 74:18,25 75:15,20 76:4,7 76:10,22 77:2,3,4 78:3 81:11,13,22 82:21 84:2 85:4 86:8,12,23 87:10 108:22 117:20,22 118:4,8 118:16 119:2,4,10,19,23,25 120:20,23 121:13 123:4,17 125:9 128:1 130:24 131:7 137:15,25
<b>g</b>		<b>harm</b> 58:16	<b>hired</b> 16:16
<b>gaining</b> 22:17		<b>hat</b> 5:5	<b>history</b> 64:3 132:14
<b>geisel</b> 19:16		<b>hate</b> 6:10	<b>hitchcock</b> 39:20 40:20
<b>general</b> 34:13,19 43:25 59:20 128:10 137:24 138:2,5	<b>good</b> 5:5 8:9 9:7 47:18 138:7	<b>haven</b> 105:22	<b>hmm</b> 24:22
<b>generally</b> 30:23 35:10 36:21 38:7 46:9,11,13 48:19,21 110:20 112:12	<b>gotcha</b> 95:16	<b>head</b> 55:20 97:23 130:25	<b>hold</b> 20:4 72:8 99:9,9 111:18,18 119:14
<b>geodon</b> 123:20,21 133:21	<b>gotten</b> 94:21,23,24 95:13 98:7	<b>heading</b> 53:4 60:17 75:11 88:19,20	<b>honesty</b> 71:8
<b>getting</b> 22:8 49:11 65:4 67:4,12 68:11 114:5 122:10 128:17	<b>graduated</b> 11:9 16:15	<b>health</b> 30:20,22 31:3 43:13 48:3 52:1 77:21 80:5	<b>honor</b> 72:9
<b>give</b> 23:19 44:13 58:2 67:18 98:10 120:24 129:4	<b>great</b> 4:14 5:10 9:10 10:16 16:5 52:14	<b>healthcare</b> 28:8 46:23 85:4 93:18	<b>honors</b> 18:9,13 19:6
<b>given</b> 44:25 57:1,17 69:13 70:15 72:14 81:15 87:10 97:25 117:2 142:10	<b>greater</b> 28:10	<b>hear</b> 4:18	<b>hope</b> 46:13
<b>giving</b> 45:8 55:10 60:5 75:6 100:10,11	<b>ground</b> 5:11	<b>heard</b> 111:1	
<b>glad</b> 9:12	<b>group</b> 88:12	<b>heart</b> 88:20,21 106:16,17 113:14 113:15,18,20,21,22 114:1,3 115:18,19,20,22,25 116:6 116:12,15,16 131:19 132:24 133:6,7	
<b>glindmeyer</b> 10:18,19	<b>guards</b> 118:25	<b>heart's</b> 113:18	
<b>glindmeyer's</b> 10:23	<b>guess</b> 13:3 14:12 38:4 65:15 81:5 95:24	<b>heightened</b> 35:12	
<b>go</b> 8:10,11,20,22,23 10:2 16:6 18:21 21:9 23:24 26:6,7 27:22 28:11 34:13 37:3 43:2 47:15,21 48:2,11,23 49:17 65:20 73:11 85:10 87:7 88:3,9 93:20 95:20 105:13 113:1 118:4 119:2 122:20 131:14 137:9 138:18	<b>guide</b> 46:22	<b>held</b> 17:16,24 117:12	
	<b>guth</b> 8:13	<b>helen</b> 29:18	
	<b>guys</b> 72:10	<b>help</b> 16:24 46:22 92:23 93:13,14 93:16,18 94:5,7 96:16,18 98:13 101:6	
	<b>gyn</b> 14:9,21	<b>helpful</b> 36:5 81:10	
	<b>h</b>	<b>heparin</b> 24:2 49:21,22,24 50:2,4,7	
	<b>hair</b> 5:7,9		
	<b>half</b> 99:12		
	<b>halfway</b> 44:21		
	<b>hand</b> 143:17		

[hopeful - joel]

hopeful	il	incomprehensible	instructive
59:23	142:23	67:11	33:25 36:10 89:9
hopefully	illinois	increase	interdisciplinary
44:6 121:21	1:1 2:6,10,14 3:5,7 103:17	75:7 123:20	21:7
hospital	142:1 143:1,4	increased	interest
14:5,6 15:2 30:14 31:10	imagine	45:4 50:18 77:23 78:3	19:11,13,15,20
32:9,17,22 39:21 40:21,22	41:2,4 139:24	123:21 131:23 132:2,10,12	interested
41:1,7,17,20,22,24 42:9,13	imaging	132:15,19,22,23,25 133:8,9	143:15
42:24 43:5 44:1 50:4 52:4	79:2	133:21	internal
55:18 56:4 61:22 65:8,18	imminent	increases	12:14 14:9
66:22 67:17,25 68:6,9 69:5	58:16	85:17	international
69:20 70:11 74:10,12,14,18	immobile	incurring	21:8 23:15 35:4
75:1,15,20 76:4,7,10 77:3,3	123:10	98:14	interrupt
77:5 78:3 81:11,13,23	immobility	independent	99:16,24 111:25 113:2
82:22 84:3 85:4 86:23	50:19,21 58:10 75:11	22:17 126:13	interrupted
94:24,25 95:14 98:8 105:2	131:16 133:15	index	122:25 123:1
105:4,10,22 117:20,22	immobilization	2:1	interrupting
118:4,8,16 119:2,4,10,19	80:17 81:7 85:17 128:12	indicate	99:11 111:19 122:22
119:24,25 120:20,23	immobilized	54:3 93:1 95:3	interventional
121:13 125:9 126:13 127:9	60:15 80:14 82:11 83:5	indicated	90:6,7
128:1 130:24 131:7 137:16	84:5 85:14 86:17,22 87:2	indicating	interventions
137:25	123:14	4:16	100:12
<b>hospitals</b>	<b>immobilizing</b>	<b>indication</b>	<b>intraarterial</b>
39:18 40:1 103:20 104:15	75:7	58:11 59:7	100:6,6
104:19,25	<b>impact</b>	<b>indigestion</b>	<b>introduced</b>
<b>hospital's</b>	26:1 87:16	106:6	46:2
87:10	<b>implying</b>	<b>individually</b>	<b>invited</b>
<b>hour</b>	62:12	4:25	23:14 24:3
60:7,12 61:10,12 74:8	<b>important</b>	<b>individuals</b>	<b>involved</b>
128:8	53:23 62:4 79:18 85:12,21	31:19 32:1	6:18 56:13
<b>hours</b>	86:2,3 101:1,20 114:7,10	<b>infectious</b>	<b>irrelevant</b>
45:3 61:5 67:15 68:1,1	131:1,9	12:15,17	78:25 83:1 86:22,24 123:4
70:15 76:10,24 80:14 81:15	<b>impossible</b>	<b>inferior</b>	<b>irrespective</b>
83:5 85:14,15 86:16 99:13	130:13	38:14	79:4 86:18 93:19 113:4
118:5,9 130:9	<b>imprecise</b>	<b>inferring</b>	<b>itchiness</b>
<b>huh</b>	67:3	79:24	107:18
21:18 24:11 35:7 90:15	<b>improper</b>	<b>influence</b>	<b>items</b>
<b>hybrid</b>	66:15 105:17	77:18	20:10
22:12	<b>improve</b>	<b>information</b>	<b>iv</b>
<b>hydration</b>	38:2	85:7	94:23,23 98:11 100:11,15
116:14	<b>inactivator</b>	<b>informative</b>	<b>j</b>
<b>hypercoagulability</b>	98:6	36:14 54:1	<b>jaf</b>
126:22 127:4	<b>inappropriate</b>	<b>initially</b>	2:6
<b>hypothetical</b>	87:5 105:24	16:20	<b>jail</b>
39:3 68:10	<b>inappropriately</b>	<b>initiated</b>	29:16 30:17,24 44:17,25
<b>hythem</b>	87:3,4	53:9 54:15	46:24 62:8 66:7 78:12,19
30:5,10	<b>inasmuch</b>	<b>initiating</b>	78:22 79:5 81:4 82:17 87:4
	40:25	53:25	91:9 100:20 117:10,11
<b>i</b>	<b>incident</b>	<b>injury</b>	125:4,11 139:12,13,15,17
	31:12	88:3	139:24
<b>icu</b>	<b>include</b>	<b>instance</b>	<b>jail's</b>
16:12 58:8 117:1 137:24	9:23 19:19 31:25 108:8,12	43:3	124:5 125:10
138:5	<b>includes</b>	<b>instructed</b>	<b>job</b>
<b>idea</b>	22:14	117:15	82:8 113:18 116:16
60:2	<b>including</b>		<b>joel</b>
<b>identification</b>	12:14		2:4 56:9
5:20 6:15 7:6 9:15 10:8			
70:3			

[joel's - luber]

<b>joel's</b> 56:10	<b>knew</b> 81:21	<b>larger</b> 88:15,17 95:2	<b>listed</b> 18:10 20:10 27:13 28:8,13 117:6
<b>john</b> 2:12 4:14,22 56:3 138:10 138:11,15	<b>know</b> 4:10 7:12 8:25 9:2,10,12 10:5,17,19 12:16,24 13:4 13:10 14:10 17:10 19:2,11 22:17 23:23,24 24:1,4 28:13,15 31:1 33:7 35:18 36:14 38:4,19 40:19 41:9 41:12 42:14 43:4,6 44:16 47:16,19,25 48:4,5,15,25 49:3,9,12,19 50:4,5 52:6 53:6,19 54:6,20 55:17,17 55:23 58:6,17 59:12,24 60:1,15 61:18 65:24,24 66:5 67:11 69:19 71:3,8 72:10 75:3 77:4 78:13 79:1 79:1,23 81:10,12 83:12 85:9 86:15 91:20 93:15,24 94:13 96:17,19 100:22 101:14,16,20,20 103:5 104:14,20,21 105:19 107:19 110:21,21 111:6 114:11 116:13,14,14 118:7 119:22 120:13,15 123:7,23 127:17 128:4,17 129:3 130:23,25 131:3,14 132:13 132:14 133:1,19,25 134:3,7 134:14,23 135:4,11,13,14 135:16 137:11,15,19,23 139:6,22,23	<b>lassen</b> 142:22	<b>lists</b> 28:22
<b>johnson</b> 2:13	<b>latest</b> 29:24	<b>literature</b> 33:24 34:2 36:9 37:4 38:2 136:8	<b>little</b> 4:8 5:6,23 7:13 8:21 56:1 61:9 66:20 87:20 89:3
<b>jorie</b> 2:13	<b>lawyerly</b> 56:14		<b>loaded</b> 13:5
<b>journal</b> 8:14 26:11,12	<b>lead</b> 45:5		<b>location</b> 89:11,16 137:1
<b>journals</b> 26:8,24 27:1,2	<b>learn</b> 13:21		<b>lodged</b> 136:24
<b>judge</b> 1:6 122:20 142:6	<b>learned</b> 12:18		<b>long</b> 14:13 16:13 57:25 58:12 59:7,21 78:6 101:12 127:14 130:13
<b>judging</b> 140:2,3	<b>learning</b> 11:22,23,25		<b>longer</b> 38:25,25 88:15
<b>july</b> 13:1	<b>lectures</b> 7:25		<b>look</b> 8:2 26:21 38:21 40:9 41:15 43:18 44:13 47:5,6 52:12 55:20 58:24,25 61:23 69:15 69:18 71:10 73:4 74:13 85:10 92:25 100:23 123:23 124:22
<b>june</b> 12:24,25 13:2	<b>left</b> 95:2		<b>looked</b> 117:5
<b>junior</b> 21:3	<b>leg</b> 81:25 82:1 107:9		<b>looking</b> 17:15 22:21 28:1,21 37:14 41:10 43:19,23 52:18 59:3 65:10,23 66:2 69:12 70:5 71:14 91:18 101:13,18 108:1,11 109:9 119:8 123:24 126:16
	<b>legal</b> 142:21		<b>looks</b> 5:10 52:15 69:13
	<b>length</b> 17:17 27:5 127:14		<b>lot</b> 11:3 14:10,10 34:8 66:15
	<b>leticia</b> 1:3 142:3		<b>louisiana</b> 35:20
	<b>level</b> 103:12 129:23		<b>lovenox</b> 90:25 99:5 100:5
	<b>liaison</b> 17:25		<b>lower</b> 107:11,14,15,17 108:13 109:10 110:12,12 123:5 134:2
	<b>license</b> 3:5 15:4,5,6		<b>lpn</b> 102:4
	<b>licensed</b> 102:2,4 104:1		<b>luber</b> 8:14
	<b>lie</b> 101:6		
	<b>life</b> 27:18 85:19 89:23		
	<b>likelihood</b> 108:14		
	<b>limb</b> 45:9 50:1,23 51:1,5,19 60:20 61:19 80:15 81:16 126:2,6		
	<b>limbs</b> 50:17 118:10		
	<b>limited</b> 72:1		
	<b>lines</b> 139:6		
	<b>list</b> 6:17		

## [lung - negative]

<b>lung</b> 114:5 116:3	<b>marcolini (cont.)</b> 121:9 122:16 138:15 142:15 143:4	<b>medications</b> 90:10,21 101:7,8 114:3,4	<b>mobilized (cont.)</b> 137:3
<b>lungs</b> 45:6 60:18 86:19 87:7 88:22 95:4 113:13,16,17,20 136:25	<b>marcolini's</b> 99:13	<b>medicine</b> 8:13 12:10,14 13:11,17,22 14:1,9,20 15:9 16:9,17 17:1 17:11 18:1 21:4,12,22 24:7 24:9,13,18,19 25:1,5,11 26:11,12,13,24 59:20 105:3 137:18	<b>mobilizing</b> 83:14
<b>lupus</b> 133:9	<b>mark</b> 5:22 7:8 60:7 61:10,12	<b>meet</b> 87:12	<b>modalities</b> 37:11,15,21 38:7,8,22 51:3 51:7,8,18 55:11 75:5 84:17 90:10 100:4,14,17 112:21 113:8
<b>lying</b> 101:8	<b>marked</b> 5:20 6:15 7:6 9:15 10:8 70:3	<b>meghan</b> 33:9,11,12,19	<b>modality</b> 37:17 38:24 39:1
<b>m</b>	<b>marking</b> 69:24	<b>memory</b> 58:23	<b>morbidly</b> 45:2
<b>m.d.</b> 1:12 3:1 4:3 19:7,8 22:1,4 142:15 143:4	<b>marvin</b> 1:19 3:4 143:3,22	<b>mental</b> 108:24	<b>motion</b> 61:5,21 66:24 68:3,11 74:13 80:21 118:14
<b>magic</b> 81:21	<b>maryland</b> 16:18 21:1	<b>mentioned</b> 13:4 28:3 55:9	<b>mount</b> 89:17
<b>magna</b> 142:21	<b>materials</b> 9:19,24 10:4 28:23 29:2,5,5 29:10 31:16 36:2 117:6	<b>met</b> 50:9	<b>move</b> 50:17 94:12 122:18 123:1 127:20
<b>mail</b> 55:24,24 56:7,8,9 57:4,6	<b>matter</b> 81:14,19 82:6 86:14 89:22 112:20 116:2 123:17	<b>methodologies</b> 98:17	<b>moving</b> 25:21 101:23
<b>mailing</b> 9:2	<b>mclean</b> 143:2	<b>methods</b> 89:23	<b>multi</b> 57:8
<b>main</b> 89:13 107:20 108:1	<b>mds</b> 22:7	<b>michigan</b> 2:5	<b>multiple</b> 112:11 116:4
<b>maine</b> 15:9	<b>mean</b> 20:8 27:16 35:5 36:3 38:4 42:16 61:25 67:3 84:6 98:7 98:9 111:25 113:2 118:25 120:2,22 121:14 140:2	<b>middle</b> 44:21 119:8,9 126:19	<b>murmurs</b> 133:6,7
<b>major</b> 17:23	<b>meaning</b> 36:3 120:6	<b>mind</b> 104:23 105:6,16,18,21	<b>muscle</b> 126:22 127:3
<b>majority</b> 66:23,25 67:4,12	<b>means</b> 53:22 68:13 95:10 120:9	<b>mine</b> 56:7	<b>muscles</b> 82:4
<b>making</b> 12:11 84:25 121:17	<b>mechanism</b> 88:4	<b>minute</b> 73:8	<b>n</b>
<b>malignancy</b> 132:8,9	<b>mechanisms</b> 83:12	<b>minutes</b> 71:21 92:22 93:14 95:22 96:18 101:14 117:17,20 118:10,15 126:1,6	<b>name</b> 33:8 39:23 44:19 52:6 138:15
<b>man</b> 53:1	<b>medical</b> 11:15,19 12:1 13:14,16 15:9,14,19 17:13 18:3,18 18:22,23,25 19:5,7,14 20:5 21:2,5,20,25 22:13 25:24 25:25 28:5 29:16 31:5 33:24 34:20,20 36:9 37:3,4 37:7 38:5 39:20 52:7 69:12 69:23 70:11 71:10 74:7 97:20 100:11 101:16 102:6 102:15,23 106:23 109:4,23 135:5 136:8,16 138:20,20 139:17,23,25 140:6	<b>misspeak</b> 79:22	<b>named</b> 4:25
<b>manifests</b> 113:22	<b>medically</b> 45:12	<b>misspoke</b> 83:21	<b>names</b> 33:19
<b>manlastas</b> 32:5	<b>medication</b> 45:1,8 49:22 98:23 100:8	<b>mitigate</b> 45:10 78:22 79:5,6 80:9 81:17,24 119:11 120:7	<b>national</b> 21:3,9 35:2,3,4 63:22
<b>manlastas's</b> 135:17,21		<b>mitigating</b> 80:18 81:10	<b>near</b> 57:17 118:23
<b>march</b> 1:13 3:3 40:4,7 47:11 53:2 53:3,15 54:9,14 56:21,23 57:3,10,19 59:9 62:25 63:1 63:10,11,12,13 64:20 65:2 65:9,14,19 69:6 70:14,18 70:21 71:2,12,17 73:1,12 73:21 74:4 92:15 104:24 105:7,11 125:23 126:10,10 142:10 143:8,17		<b>mitigation</b> 81:7 83:6 123:14	<b>necessarily</b> 57:25 58:2 132:25
<b>marcolini</b> 1:12 3:1 4:3 5:4 39:14 46:5 66:20 67:18 68:16 72:21 84:9 100:3 109:20 111:24		<b>mobile</b> 123:10	<b>need</b> 14:9 53:10 58:17 69:14 128:18
		<b>mobility</b> 50:18 60:19 80:10	<b>needed</b> 40:23 100:17,18 127:24
		<b>mobilized</b> 84:2,5,5 85:1,5 118:11	<b>needs</b> 66:16
			<b>negative</b> 75:22

## [negatives - osteopathy]

<b>negatives</b> 11:3 79:14 80:2	<b>nursing</b> 101:25 103:1,24 104:4,7 135:9,14	<b>officer</b> 109:13	<b>once</b> 56:12
<b>neither</b> 77:2	<b>o</b>	<b>officers</b> 138:24	<b>ones</b> 14:25 18:9 22:18 26:14,17 98:20 135:6
<b>neuro</b> 16:10,12,25 17:2,7,11 24:9 25:12,15	<b>ob</b> 14:9,21	<b>oh</b> 7:2 8:5 10:3 14:16 24:1 43:3 47:17,23 52:23 58:16 87:22	<b>one's</b> 91:2,2
<b>neurologic</b> 27:19,19	<b>obese</b> 45:2	<b>okay</b> 4:14 5:22 6:2,7,24 7:3,12 7:17,20 8:2,9,20 9:7,10,21 9:23 10:5,6,14,16,22 11:14 13:20,24 14:12,18 15:13,17 15:21,24 16:5,13 17:9,15 17:20,23 18:9,18,24 19:10 19:17,25 20:13 21:15 22:21 23:1,6,14 24:6,10 25:14 26:8,14,20,23 27:1,4,24 28:7,11,17,21,25 29:9 30:22 31:1,15,19 32:4,21 32:25 33:4,9,13,18 34:21 35:1,5 36:1,24 37:9,13 39:2 39:17 41:7,13 42:6 43:2,8 44:4,19,23 46:22 47:13 48:11 49:7,17,21 50:6,10 50:20 51:3,14,23,25 52:18 52:23 53:9,23 54:8,21 55:2 55:9,17 56:11 57:11 58:4 59:6 60:3,22 61:3,11,16 62:12,24 64:8,9,20 66:10 66:18,22 67:1,9,23 69:1,12 69:17,23,23 70:5,6,7,13 71:10,12,21,22 72:7 73:12 73:19,23 74:2,6,12,22 75:4 75:10,17 76:3,6 77:13,19 78:1,15 80:8,24 82:19 83:24 84:11 85:3,7,20 87:19 89:12 90:9,17 91:8 91:21 92:6,8,9,13,19 96:6 96:23 97:17,24 98:17,23 100:10,25 101:15,23,23 103:14,19,23 104:13,22 106:2 107:17,25 108:5,7,11 110:2 111:3 112:1,4,14,19 114:10,10 115:1,9 116:20 117:2,5,15,19 118:14,19 120:25 121:25 123:9,16 124:22 125:3,8,16,22 126:9 127:1 128:6,16,24 133:20 134:1,11,25 135:8 136:19 136:23 137:20 139:7	<b>open</b> 42:7
<b>neurology</b> 21:13,14	<b>object</b> 34:4,10 36:18 39:10 42:3 45:23 62:16 63:2 66:11 67:2,20 68:7 74:19 77:1,8 83:16 84:4 97:4 105:19 109:11 110:7 119:17 122:12 124:16	<b>okay</b> 4:14 5:22 6:2,7,24 7:3,12 7:17,20 8:2,9,20 9:7,10,21 9:23 10:5,6,14,16,22 11:14 13:20,24 14:12,18 15:13,17 15:21,24 16:5,13 17:9,15 17:20,23 18:9,18,24 19:10 19:17,25 20:13 21:15 22:21 23:1,6,14 24:6,10 25:14 26:8,14,20,23 27:1,4,24 28:7,11,17,21,25 29:9 30:22 31:1,15,19 32:4,21 32:25 33:4,9,13,18 34:21 35:1,5 36:1,24 37:9,13 39:2 39:17 41:7,13 42:6 43:2,8 44:4,19,23 46:22 47:13 48:11 49:7,17,21 50:6,10 50:20 51:3,14,23,25 52:18 52:23 53:9,23 54:8,21 55:2 55:9,17 56:11 57:11 58:4 59:6 60:3,22 61:3,11,16 62:12,24 64:8,9,20 66:10 66:18,22 67:1,9,23 69:1,12 69:17,23,23 70:5,6,7,13 71:10,12,21,22 72:7 73:12 73:19,23 74:2,6,12,22 75:4 75:10,17 76:3,6 77:13,19 78:1,15 80:8,24 82:19 83:24 84:11 85:3,7,20 87:19 89:12 90:9,17 91:8 91:21 92:6,8,9,13,19 96:6 96:23 97:17,24 98:17,23 100:10,25 101:15,23,23 103:14,19,23 104:13,22 106:2 107:17,25 108:5,7,11 110:2 111:3 112:1,4,14,19 114:10,10 115:1,9 116:20 117:2,5,15,19 118:14,19 120:25 121:25 123:9,16 124:22 125:3,8,16,22 126:9 127:1 128:6,16,24 133:20 134:1,11,25 135:8 136:19 136:23 137:20 139:7	<b>operating</b> 41:21
<b>neurosurgical</b> 21:23	<b>objection</b> 67:20 76:25 77:8,16 109:14 117:23 119:20 133:23	<b>opine</b> 118:4 130:20	<b>opined</b> 51:18
<b>new</b> 37:22 38:18 63:24,24 66:14 67:18 105:22	<b>objections</b> 134:20,20	<b>opining</b> 64:13	<b>opinion</b> 31:23 33:4,10 42:15 45:14 45:16,20 50:12,15 55:7 62:17,20 64:9,17 65:11 66:9 74:16,20,24 77:14,18 78:1,6,16 85:11 86:4,9,11 87:3,15 89:18 101:1 112:14 112:17,19 119:14,23,25 122:22 124:22 126:16 127:24 129:5 133:20 134:16 136:12,20 137:2,21
<b>nice</b> 21:15	<b>obligations</b> 102:16	<b>opinions</b> 5:24 10:5 20:11 22:24 23:3 23:11,18 29:1 31:17 32:11 41:18 42:1,5,11,17 44:12 44:14 54:23 62:1,4,7 66:6 66:12,14 67:16,19 75:4 86:25 91:11,22 92:5,19,25 101:21 111:9,11 119:5 122:10 123:11,24 131:1,10 135:22 136:4,9 137:13 138:19,23 139:2,8	<b>opposed</b> 140:7
<b>nine</b> 71:20	<b>observable</b> 107:21 108:9 110:19	<b>order</b> 52:3,13 53:5 55:18 74:3 83:10 128:11 129:24	<b>order</b> 140:20
<b>nobody's</b> 68:10	<b>observation</b> 130:11	<b>ordered</b> 52:17 53:13,14 64:22 130:7 130:10	<b>ordering</b> 52:15 140:19
<b>non</b> 23:1 106:3 111:12	<b>observed</b> 108:13 110:6	<b>orders</b> 53:6,12 140:16	
<b>northern</b> 1:1 142:1	<b>obstructed</b> 93:24	<b>originated</b> 75:19 81:3	
<b>notary</b> 142:20	<b>obstructing</b> 122:10	<b>osteopathy</b> 15:14	
<b>note</b> 58:19,21 59:1,4 70:21 101:4	<b>obstruction</b> 113:14 115:18,19		
<b>noted</b> 108:24 142:11	<b>obstructive</b> 95:3		
<b>notes</b> 143:9	<b>obtain</b> 55:19		
<b>novel</b> 37:22	<b>obtained</b> 111:14 20:14 27:13 54:10,19 71:1 73:20 104:3		
<b>number</b> 4:24 23:25 36:1 37:6 135:1	<b>obtaining</b> 12:1 19:8 22:1 41:14 61:5		
<b>numerating</b> 52:20	<b>obviously</b> 13:10 111:15		
<b>numerical</b> 52:21	<b>occasions</b> 68:3,12		
<b>nurse</b> 21:5 22:14 32:5,5,5 33:5,7 33:9,10,12,15,17 65:5 70:17 77:10 92:8,10,19 93:5,13,20 94:16 95:12,17 96:2,7 97:13 101:4 102:2,7 102:10,13 103:15,16,19 104:3 109:13 111:3 124:6 124:15,19 125:5,14,17,20 135:17,20	<b>occur</b> 130:14		
<b>nurses</b> 85:16 86:11 103:7,12 118:24 139:5	<b>occurred</b> 78:2 123:17 127:25		
	<b>office</b> 138:16 139:3,6,9		

## [outside - practice]

<b>outside</b> 100:10	<b>paschos</b> 30:1 32:3 33:1 48:25 62:8 77:20	<b>period (cont.)</b> 127:5	<b>plays</b> 134:9,12,23
<b>overlooked</b> 109:3	<b>passed</b> 25:8,10,12	<b>person</b> 4:12 53:24 89:14 112:8 143:13	<b>please</b> 52:10 97:9 99:11 100:24 121:4 140:21,24 141:1
<b>overprescription</b> 123:21	<b>pathological</b> 88:7	<b>personnel</b> 139:17 140:1,7	<b>plug</b> 83:25
<b>overtime</b> 115:21	<b>patient</b> 34:19 40:2 53:18 58:7 60:14 61:4 63:9 64:2,4 75:7 82:9 83:14 85:1 89:24 93:20 94:14 98:14 100:10 101:5,6 112:10 113:22 114:19,25 115:15 116:24 117:3 118:22,23 124:21 126:12 128:25 129:4,6,14 129:16,17 130:22 134:12 134:18	<b>person's</b> 89:16	<b>point</b> 17:21 38:5 39:6 45:3 54:18 65:1 66:6 69:6 73:24 126:9 129:11
<b>oximeter</b> 94:9	<b>perspective</b> 17:22 24:24 30:8	<b>pertained</b> 30:20	<b>points</b> 124:2,9
<b>oxygen</b> 94:12,21,23 98:11 100:11 100:15	<b>pes</b> 136:21,23	<b>pes</b> 136:21,23	<b>police</b> 29:13 65:14
<b>oxygenation</b> 94:8,11,11,19	<b>pgy</b> 13:6	<b>pgy</b> 13:6	<b>policies</b> 30:17,19 36:13,20 37:4 40:1,6,11,13,14,19,25 41:8 41:10,17,20,24 42:9,13,25 43:5,8,11,13,17,20 44:1,9 46:9,16 47:1,4,10,11,16,22 48:4 104:13,18 105:24 139:12,15,16,21 140:6
<b>p</b>	<b>pharmacology</b> 103:8	<b>physician</b> 13:11 15:19 22:11,16,20 34:16,17,22 35:9,23 36:7 36:11,16 52:5,16 54:3 60:15 61:13 62:10 63:9,19 64:7 65:4 85:14,15 93:20 124:20 129:17 131:4 139:5	<b>policy</b> 40:9,18 45:19 47:18 104:24 105:7 124:5 125:4,10 126:5 140:2,3
<b>p.c.</b> 2:4	<b>patiently</b> 99:20	<b>physicians</b> 8:15 15:12 27:17 66:7,8,9	<b>portion</b> 79:11 97:10 114:22 115:6
<b>p.m.</b> 1:14 3:3 4:2 141:3	<b>patients</b> 12:12 14:4,7 16:4 39:5,9 46:24 53:20 58:7 106:12,16 106:20 116:21 128:14 131:13 132:1,5,5 134:5	<b>physician's</b> 33:25	<b>positions</b> 15:13 17:16,23,24 20:4
<b>page</b> 2:15 6:2,13,24 7:9,19 8:3 9:18 17:17 20:15 28:22 42:23 44:14,20,21 52:18,19 52:24 62:1 65:12,13 69:2 70:5 87:20 119:5,8 123:24 124:23 126:16 139:13,14	<b>patient's</b> 54:3 59:22 64:3 89:23,25 96:16 116:10	<b>physiology</b> 113:12	<b>possibility</b> 60:16,17
<b>pages</b> 6:5 7:17,21 19:25 23:7,16 23:24 56:5 91:11	<b>pe</b> 75:19 78:17 80:4 89:14 136:25	<b>pick</b> 38:7	<b>possible</b> 76:3,5 82:20 87:9,11,14,15 109:8 110:4 113:5,9 129:5 134:17
<b>pain</b> 107:15,22,23 108:2,6,7,12 110:5,16	<b>peck</b> 39:21,24 40:10,15,21 41:6	<b>picture</b> 27:9	<b>possibly</b> 95:4
<b>paragraph</b> 44:21 92:25 119:9 126:18	<b>pediatrics</b> 14:23	<b>placed</b> 57:14,23 58:13 59:8,13 64:23 69:5 73:24 74:3 124:6 125:5 131:5	<b>post</b> 15:22
<b>paragraphs</b> 91:20	<b>peer</b> 23:1 37:6 38:6	<b>placing</b> 58:2 59:20	<b>postpartum</b> 132:1
<b>pardon</b> 61:10	<b>people</b> 21:25 22:3,7 38:5,5 66:13 89:5 99:24 140:5	<b>plaintiff</b> 1:4 2:3 4:22 135:12 138:21 142:4	<b>potential</b> 61:1
<b>part</b> 13:24 28:10 31:3 38:17,25 41:25 42:4,14 43:5 44:12 47:17,23 56:14 71:22 85:12 85:21 86:3 88:18 91:22 102:16 113:12 115:11	<b>percent</b> 16:9,10,17,18,21,21 17:1,6 17:6 60:1	<b>plaintiff's</b> 9:1 77:7	<b>potentially</b> 18:14
<b>particular</b> 19:16 43:3 47:14 72:14	<b>percentage</b> 103:9 110:18 134:1	<b>plasminogen</b> 90:18 98:1,6	<b>power</b> 2:12,17 4:16,19,23 138:11 138:14,15 140:10
<b>particularly</b> 23:10	<b>perform</b> 95:19 96:4,8,12 97:2,14 98:21 99:6	<b>platelets</b> 88:1,2,9,12,13,13,14	<b>practical</b> 11:23
<b>parties</b> 4:8	<b>performed</b> 4:12 12:3 66:25 68:4 80:11 81:11 95:18 117:17	<b>play</b> 134:17	<b>practice</b> 15:7,11 16:7,8,9,10,11 21:21 22:10,14,15,18
<b>party</b> 143:14,14,16	<b>performing</b> 21:19		
<b>pas</b> 21:5 22:15	<b>period</b> 24:24 51:17 58:18 60:12 76:9 118:15 120:14 123:3		

## [practiced - really]

practiced	professional	psychiatric (cont.)	question (cont.)
104:15	20:1 28:8	137:16,18	118:7 119:18 120:18 123:8
practicing	professor	psychiatrist	124:17 134:21,22 137:11
15:18 17:13 22:12 28:9	19:23,23	130:6,8,12,18	140:4
32:16 105:3,11	program	130:20,21,24	questions
practitioner	15:8,25	psychiatry	23:25 92:5 93:23 119:3
93:19	programs	35:19 130:19	120:4 127:21 140:12,13
practitioners	12:20	psychotic	142:10
21:5 22:15 46:23 85:4	progress	106:12 108:25	quick
preamble	101:4	public	23:17,20 36:24
4:8	prolonged	20:7,8,9 142:20	quite
pregnancy	58:5,6 81:6 117:14 127:4	publication	137:8
131:23	promotion	8:17	r
prepared	19:22	publications	ragen
9:21,22 101:8 119:3	promulgated	23:2 38:6	2:8,16 4:7,14,17,21,23 5:3
prescribed	3:8	publish	5:21 6:8,16 7:7 9:16 10:9
44:25	pronounce	26:17	30:7,13 34:6,9,12 36:21,24
present	33:8	pull	37:2 39:13 42:6,8 46:3 56:1
50:25 107:4,7,15,17 110:19	propagated	43:17 52:9	56:11,17,19 62:19 66:18,19
127:4	propagates	pulling	67:6,21 68:14 70:1,4 71:25
presentations	88:15	8:25	72:6,12,17,19 74:22,23
23:14 24:4	propagating	pulmonary	77:9 79:9 83:19 84:7 85:25
pretty	51:1 81:24 82:10 89:2	45:7 50:13 76:1 78:10 81:2	97:8 99:12,18 100:1 105:10
94:13	propagation	89:5 90:3 91:5,8,16,24 92:2	105:15 109:15,18 111:21
prevent	78:23 79:6 81:18 84:19	93:3,8 95:5,10 97:19 98:14	111:23 114:20 115:4
60:18 82:9,10 127:5,25	85:18 87:21,21 123:15	99:2,3 106:13 112:5 114:13	119:19,22 121:5,8 122:15
prevented	128:13	114:15,25 116:1 136:13,13	124:18 127:22 140:13,14
50:23,24 51:1,13	proper	137:4	140:18,20,23,24
prior	92:20 93:6 97:14 105:12	pulse	ran
71:2,4,9,12 79:2	properly	94:9 101:9	5:6
privileged	91:15,24 92:2 120:20	pump	range
104:19,23	protocol	113:16 116:7	61:5,20 66:24 68:3,11
probably	50:1	pumping	74:13 80:21 118:14
8:24 9:11 12:24 14:16	protocols	115:18,20	ravi
20:22 22:17 26:7 27:15	provide	pursuant	30:3,8
53:8 57:8 75:13,18 78:9,16	21:15 39:18 60:19 98:23	3:6,9	
80:3,25 81:2 82:16 94:18	99:4	put	reach
116:25 122:6 125:1 135:7	provided	52:3,13 54:11 55:3,5 57:25	45:11
probe	6:17 32:22 39:7 63:9 77:22	94:9,21,25	reaches
95:1	78:21 114:17	q	113:13
problems	providers	qualified	read
11:2 14:8 27:20 94:19	21:22 22:10,12 138:20	19:13 59:12	10:17,22 27:1 44:15,19
procedure	provides	question	47:9 64:15 77:10 79:10,12
3:7 40:18 45:20 47:18 99:6	34:18 115:17	5:13,14,16 10:17 13:3 34:5	83:22 95:8 97:8,11,19
99:23 105:7	providing	34:10 35:15 36:19 38:4,19	114:23 115:4,7 127:2
procedures	20:24 39:4 46:23 49:14	39:11,12,16 42:4 43:25	135:17,19,24 142:8
36:13,20 37:5 40:2,7,10,11	64:11 66:6 103:16 104:23	45:24 46:4 57:5,22 61:3	reading
40:13,14 41:8,17,25 42:10	104:25 105:6	62:17 63:3,7 67:3,6,7,15,23	44:16,20 47:4 92:3 120:16
42:13,25 43:6,9,11,14 44:1	provisional	68:8,15,18 72:11,12,16	124:4
44:9 46:10,16 47:2,5,10,11	15:3,5,6	74:20 76:14,19 77:1,17	real
47:17,22 48:4 90:8 104:14	provisions	78:8 79:10,14,25,25 83:17	118:8
104:18 105:25	3:7	83:20,23 84:8,11,12,13,13	realize
proceed	psychiatric	85:24 87:1 95:25 97:5,6,8	38:23 79:16 103:7
5:1	27:2 129:14,16 130:11	97:12 99:10,22 105:18,20	really
process		109:12,19,21 110:8 111:6	13:15 46:6,8 59:23 60:4
24:20 25:22 109:8 110:2		111:20 114:12,21 115:2,4	81:14 114:6 130:2

## [reason - right]

<b>reason</b> 13:25 24:16 69:9 73:19 76:21 122:19	<b>reduce</b> 45:1 51:9	<b>render (cont.)</b> 78:16 138:23 139:2,8	<b>respiratory</b> 94:14
<b>reasonable</b> 35:23 46:19,21 48:7,9,18 48:21 49:2,5,15 60:9 64:7 120:10,11 125:19	<b>reduced</b> 143:9	<b>rendered</b> 42:1 79:4	<b>respond</b> 92:22 96:15,17
<b>reasonably</b> 34:22 35:8 36:6,11,15 51:22 63:9,15,18 103:14 125:13,17 130:17	<b>refer</b> 31:22	<b>rendering</b> 18:15 20:11 22:24 31:17 86:11	<b>responded</b> 93:15
<b>reasons</b> 61:17 119:1	<b>reference</b> 139:12	<b>renew</b> 60:7,12	<b>response</b> 89:17 96:16
<b>recall</b> 14:19 33:7,12,17 41:14,14 41:24 42:12 58:20,21 59:14 59:18 69:21 71:6 74:15 97:23 104:12 109:7,25 131:8	<b>referring</b> 32:13 44:17 47:16 68:19 139:15	<b>renewing</b> 128:11	<b>responsible</b> 85:16
<b>receive</b> 26:10,11 28:3 29:7 49:24 50:3,7 71:7,8,11 74:9 76:9 76:23 80:15,16 101:24 102:7,9,12	<b>reflect</b> 4:21 19:20 52:10 59:2 65:22	<b>repeat</b> 35:15 57:5 79:13 109:21	<b>restrain</b> 46:24 52:3,13
<b>received</b> 28:5 29:6,10,13 36:4 50:1,2 54:24 69:17 71:4 93:2 95:9 103:11	<b>reflects</b> 74:7	<b>report</b> 6:13 10:23 29:10 31:6 62:1 69:1,10 73:23 87:19 97:20 119:24 138:19 139:11,14	<b>restrained</b> 49:24,25 50:21 51:23,25 53:18,20 55:15 56:21,24 57:2,18 58:18 61:22 66:24 67:5 74:9 76:6,22 81:15 84:2,25 117:22 120:14 123:4,10 126:12
<b>receiving</b> 58:9	<b>regarding</b> 139:8	<b>reporter</b> 6:12 69:25 79:12 97:11 114:23 115:7 140:18,23,25	<b>restraining</b> 40:2 51:14
<b>recertification</b> 24:17 25:18 26:2,4	<b>regional</b> 23:15	<b>reporting</b> 139:6	<b>restraint</b> 30:17 51:17 53:10 128:11
<b>recertified</b> 24:13	<b>registered</b> 102:2	<b>reports</b> 31:13	<b>restraints</b> 40:15 41:8 45:3 46:16 52:16 53:12,13,14,25 54:5 54:12,15 55:4,5 57:14,23 58:1,3,8,13 59:8,14,21,22 60:7 61:4 64:23 65:7,17 67:13,25 69:6 73:24 74:3 104:14 105:7 116:21,24 117:3,16,19 118:9 124:7 125:6,21 128:8 131:5
<b>recertify</b> 25:4	<b>related</b> 24:3 135:3,8	<b>requested</b> 79:11 97:10 114:22 115:6	<b>resuscitated</b> 95:15
<b>record</b> 4:21 6:11 52:10 53:1 59:2 65:22 67:14 69:12,15,19,23 71:19,24 72:9 74:7 76:13 79:12 83:18 97:11 109:5 114:23 115:7 121:6,7 122:6 122:21 143:14	<b>relative</b> 63:3 131:12 134:4 143:14	<b>require</b> 49:23 54:2 57:1,16 60:11 64:25 128:25 129:10	<b>retained</b> 28:18 135:12,14
<b>records</b> 29:14,16 30:15 31:10 52:7 52:12 54:16 56:5 58:15 64:15 66:17 70:11 71:11 77:6 85:8 101:16 109:23 119:21 120:17	<b>release</b> 45:9 50:1,24 51:2,5,19 60:20 61:19 80:15 81:16	<b>required</b> 25:4 124:5 125:4 130:8	<b>return</b> 142:21
<b>redirect</b> 76:16 79:25	<b>released</b> 65:17	<b>requirement</b> 129:7	<b>review</b> 9:24 23:23 26:15 27:22 32:15 46:15 47:6 54:16
<b>redirectible</b> 53:22	<b>relevance</b> 23:3	<b>requirements</b> 35:13,17 103:1,8,9 125:8	<b>reviewed</b> 10:4 23:2 29:1 30:14 31:5 31:16 37:7 38:6 43:13 76:13 87:10 136:18
<b>redness</b> 107:11,21 108:8	<b>relevant</b> 9:12 10:4 17:21 18:14,14 20:10 22:23 23:11,18 28:14 54:23 68:4 74:16 77:13 83:12 105:19 116:17,19 123:11,14 137:13	<b>requiring</b> 126:6	<b>reviewing</b> 23:20 32:15 52:15 71:13
	<b>relief</b> 126:2,6	<b>research</b> 22:21,23 38:23	<b>reward</b> 97:12
	<b>rely</b> 105:13	<b>researching</b> 38:16	<b>richard</b> 2:9,13
	<b>relying</b> 135:20 136:2,6,7	<b>reserve</b> 140:16	<b>right</b> 7:25 10:10 11:5 13:3 14:12 19:1 33:22 35:5,10 37:25 38:20,23 41:23 42:16,17
	<b>remember</b> 13:7 14:25 24:1 71:25 104:16 137:17	<b>residency</b> 12:3,4,6,9,10,19,24 13:1,10 13:20 15:8 22:5 102:9	
	<b>remotely</b> 4:9,12 5:1	<b>resident</b> 15:3 36:4 52:5	
	<b>remove</b> 90:8	<b>residents</b> 21:2,5,21 22:3	
	<b>render</b> 28:25 33:4 41:17 64:9	<b>respect</b> 62:15	

[right - sorry]

<b>right (cont.)</b>	<b>saying</b>	<b>sent</b>	<b>showing</b>
44:8 46:24 49:8 50:22	43:12 50:11 54:11 64:1	9:6,8 57:7	71:7 88:14
51:15,23 55:6 56:15,18	72:4 80:8,13 86:2 93:5,10	<b>sentence</b>	<b>shows</b>
60:10 62:9,10 63:19 64:8	93:11,12 95:7,17 96:2,11	44:14 95:7 120:19 121:21	17:24 21:19
64:19 69:18 73:5,6 74:4	96:15 97:1 98:10 99:7	127:2,8	<b>shut</b>
75:12,24 76:1,18 81:25	112:2 119:22 120:25	<b>sentences</b>	36:23
82:2,9,17 84:12,14,15,18	122:21 126:5	44:15 121:12	<b>side</b>
84:20 86:1 88:21 89:12,14	<b>says</b>	18:5 20:1,7,8,10	88:21 113:7
89:21 90:24 91:2 92:24	44:18 52:24 53:6 70:21	<b>services</b>	<b>sign</b>
95:1,11,21 96:11 98:5,9,11	101:5 119:9 124:23 125:3	30:20,23 31:3 43:13 48:3	65:4 107:12 108:9
98:18,21,24 99:7 100:7,8	127:2,8	52:1 77:22 80:5 142:21	<b>signature</b>
100:19 102:19,23 104:1,4	<b>scan</b>	<b>set</b>	10:14 140:16 143:11
108:17,18 111:8,16 112:4,5	23:17,20	143:17	<b>signs</b>
112:25 113:7 116:8 120:10	<b>scenario</b>	<b>setting</b>	91:15 107:4,8 110:4,19
120:13 122:7 123:3 125:12	96:23 97:1	55:15 108:17	<b>similar</b>
126:2,13,14,22,24 127:1	<b>scholarly</b>	<b>setup</b>	34:23 36:16
128:9,17 136:21 137:7,8,10	22:22	12:19 16:13	<b>simple</b>
138:21 139:18,21 140:15	<b>school</b>	<b>seven</b>	118:8
<b>ring</b>	11:19 13:14,16 102:7	67:25	<b>sir</b>
33:20	103:24 104:4	<b>severe</b>	85:24 109:16
<b>risk</b>	<b>schooling</b>	89:20 111:15 112:18,20	<b>sit</b>
45:1,4,10 75:7 77:23 78:3	137:12	<b>severity</b>	33:20 66:13 67:18 111:8
78:22 79:5,6 80:19 81:8,17	<b>science</b>	89:19 111:12,12,14	<b>site</b>
81:24 83:5 85:17 88:18	63:24	<b>share</b>	88:3,5,9,10
119:11 120:8 123:14,21	<b>scope</b>	69:14	<b>situation</b>
131:12,15,23 132:2,10,12	122:13	<b>sharing</b>	38:21 64:2,5
132:16,19,22,24,25 133:8	<b>screen</b>	72:20	<b>six</b>
133:10,15,22 134:5,8,12	72:20	<b>she'd</b>	6:2,5,13 42:23 62:1 68:1
<b>risks</b>	<b>scrub</b>	105:15	74:8 76:10,23 91:11
81:11 134:4	5:5	<b>sheet</b>	<b>skyhealth</b>
<b>role</b>	<b>se</b>	142:11	18:4
134:12,17,24 135:4	38:8	<b>shelley</b>	<b>slow</b>
<b>room</b>	<b>search</b>	1:19 3:4 30:12 79:9 113:1	68:24
95:20 96:9	71:13 73:18	114:21 115:5 143:3,22	<b>slowing</b>
<b>root</b>	<b>second</b>	<b>shelley's</b>	88:5
31:8	25:13	6:10,12 30:7	<b>smaller</b>
<b>rotation</b>	<b>section</b>	<b>sheriff</b>	88:23,24,25
12:17,20 14:21,22,22	10:11	1:6 2:11 4:23 142:6	<b>smoking</b>
<b>rotations</b>	<b>security</b>	<b>sheriff's</b>	131:21
12:13,18 13:4,8,25 14:14	118:25	31:12 138:16,24 139:3,5,8	<b>sneaky</b>
14:19,23 15:1,8,17	<b>seeger</b>	139:21 140:7,12	97:7
<b>roughly</b>	1:6 142:6	<b>shift</b>	<b>snowball</b>
11:18	<b>seeing</b>	5:6	88:12
<b>rule</b>	41:23 58:20,21 109:7	<b>shock</b>	<b>soltes</b>
75:23	<b>seen</b>	16:2,16	33:13,15,17,19
<b>rules</b>	112:15	<b>short</b>	<b>somebody</b>
3:8 4:10 5:11 99:23	<b>select</b>	58:18 59:23	77:5 90:23 92:23 94:15
<b>run</b>	37:17	<b>shortness</b>	113:25 125:21 129:1
113:20 129:2	<b>self</b>	107:24	<b>somebody's</b>
	53:21	<b>show</b>	57:23 93:17,22
<b>s</b>	<b>seminar</b>	19:11 38:13 67:14 69:16	<b>soon</b>
<b>safety</b>	21:10,10,11	72:23 76:20 122:20	16:23 25:19,20
118:24	<b>sending</b>	<b>showed</b>	<b>sorry</b>
<b>save</b>	57:4,6	76:12	4:19 19:5 24:10 32:1 41:23
89:23	<b>sense</b>		55:8 57:4 58:1 65:15 66:2
<b>saw</b>	34:8 82:12		100:2 101:19 102:18

**[sorry - symptoms]**

<b>sorry (cont.)</b>	<b>stand</b>	<b>steven</b>	<b>suggest</b>
110:24 111:21 113:1	25:23 47:10	1:6 142:6	71:1
114:20 117:11 118:20	<b>standard</b>	<b>sticky</b>	<b>suggested</b>
121:11 124:25 125:1	31:20 32:2,8,20,23,25 33:6	88:2	68:10 99:4
<b>sort</b>	33:11,16,23 34:1,2,14,15	<b>stood</b>	<b>suite</b>
45:24	34:15,17,17 35:1,2,4,8,22	47:12	2:5 142:22
<b>sounds</b>	36:3,6,10,15 37:18,24 38:3	<b>stop</b>	<b>summary</b>
33:18	38:9,17 39:2,8 43:10,16,21	51:4 86:10 88:11 99:11	21:16
<b>south</b>	44:2,5,7,10 45:11,16,21,21	<b>stops</b>	<b>summer</b>
2:5	46:10,12,14 47:24 48:8,10	86:13	21:10
<b>sozio</b>	48:15,20,22 49:1,4,14,23	<b>street</b>	<b>superior</b>
29:11	50:9 53:17 54:2,6 56:20,25	142:22	38:14
<b>speaking</b>	57:16 60:10,13,23 61:7	<b>strike</b>	<b>supervision</b>
30:24 35:10 36:21 46:9,11	62:6,9,14,22,24 63:3,8,14	13:15 19:5 28:17 31:4	15:12,18 22:13,16,19,20
46:13 48:19 72:2,3 109:16	63:16,18,21,23 64:1,4,6,11	35:20 44:17 50:8,10 64:18	
<b>specialist</b>	64:25 79:8 87:5,6,12 92:11	77:20 83:24 131:14 136:6	
128:18	94:13 96:3,14 104:7 114:17	<b>stroger</b>	<b>support</b>
<b>specializes</b>	117:21 118:11,17 124:11	46:1	19:22 22:23 27:18 114:3
13:15	124:13 125:13,16,20 126:7	<b>stroger's</b>	116:15
<b>specializing</b>	128:6,24 129:10 130:7,17	45:19	<b>supported</b>
13:10,17	<b>standpoint</b>	<b>stroke</b>	94:24
<b>specialties</b>	27:9	106:20 132:14	<b>supportive</b>
14:11 25:25	<b>stands</b>	<b>struck</b>	100:15
<b>specific</b>	27:21 81:18	47:2	<b>supposed</b>
6:4 12:16 23:3 39:16 41:5	<b>start</b>	<b>structure</b>	72:1
63:4,6 78:8 106:3 119:1,3	10:16 59:3 60:4 89:2	139:23	<b>supreme</b>
134:6 136:8	121:11	<b>structured</b>	3:8
<b>specifically</b>	<b>starting</b>	19:18,20	<b>sure</b>
22:23 23:11 27:3,25 41:22	44:22 128:11	<b>struggling</b>	14:24 26:14 27:11,25 31:24
42:12,14 43:24 47:7 59:4	<b>starts</b>	61:9	49:10 55:8 56:5 57:6 58:11
60:1 63:17 81:16 112:2	65:23	<b>stuck</b>	79:17 84:25 87:22 97:13
<b>specifics</b>	<b>stasis</b>	88:23,25 89:1	107:3 110:18 111:8 115:11
128:4	88:6 127:3	<b>student</b>	140:4
<b>speculation</b>	<b>state</b>	18:23,25	<b>surgery</b>
77:16 134:21	3:4 4:10 35:9,10 72:4 75:16	<b>students</b>	12:14 14:23 98:21 99:7
<b>speculative</b>	143:1,3	21:2,6,9,21,25	<b>surgical</b>
7:14	<b>stated</b>	<b>study</b>	15:22 16:1,18,21 17:3,5,12
<b>speed</b>	71:24	66:16	21:22 100:8,12
68:23	<b>statement</b>	<b>studying</b>	<b>survailability</b>
<b>spell</b>	31:23 77:1 120:5,22 121:12	38:16	89:9 112:4
39:22	121:17 127:12,13	<b>subject</b>	<b>survivable</b>
<b>spelled</b>	<b>states</b>	53:4	90:4 112:5,10
30:9	1:1 22:16 35:6,12 71:15	<b>subjects</b>	<b>survive</b>
<b>spend</b>	142:1,10	35:13	89:5,14 98:15 114:2 116:10
118:5	<b>state's</b>	<b>subscribe</b>	<b>survived</b>
<b>spoken</b>	2:7,9	26:8	97:19,25 98:4 112:22 113:6
49:10	<b>status</b>	<b>subscribed</b>	<b>suspicious</b>
<b>squeezing</b>	108:24	142:16	108:15,16
82:4	<b>stay</b>	<b>subspecialties</b>	<b>swelling</b>
<b>ss</b>	44:17,24 69:4 119:9 120:19	25:25	107:9,22,23 108:4,7,12
143:1	120:23 121:13	<b>substrate</b>	110:5,16
<b>st</b>	<b>stenograph</b>	137:1	<b>swollen</b>
31:10	143:9	<b>subtle</b>	109:1
<b>staff</b>	<b>steve</b>	110:13	<b>sworn</b>
15:2,4 58:17	30:1	<b>suffering</b>	4:5 142:16 143:5
		93:8	<b>symptoms</b>
			108:19,21

## [syndrome - truth]

<b>syndrome</b> 133:12	<b>terms</b> 27:12 28:2,9,12 35:19 36:14 50:11 130:19 131:13	<b>thrombus</b> 132:10	<b>tpa</b> 82:4 90:16 100:6,6
<b>synonymous</b> 48:19	<b>terribly</b> 17:20	<b>thumbs</b> 4:16,17	<b>traced</b> 115:24
<b>system</b> 52:21 95:13 115:15	<b>testified</b> 4:6 104:7,10	<b>tiago</b> 33:13,15,17,19	<b>training</b> 22:8 27:7,13,17,18 28:2,5 36:4 102:7,10,13 103:12 104:3 137:12
<b>systematically</b> 6:9	<b>testify</b> 104:7	<b>time</b> 5:12,12 13:14 17:1 25:4 26:15,15,18,18 37:9,9 39:7 53:2 54:10,14,15,18,25 55:5 57:13,17,25 58:18 59:2 60:4 65:1,18 66:16,23 66:25 67:4,12 69:13 71:18 71:23 72:4,14,15,16,23 73:14 80:14 82:5 87:11 96:8 99:14,15 100:21 101:10 110:18 114:4 116:23,25 123:3,9,13 126:10 127:5,14 128:21,21 129:11 130:14	<b>trajectory</b> 19:21
<b>t</b>	<b>testifying</b> 77:19,21	<b>timeframe</b> 26:2 59:12 60:3 63:11,12 129:18,24 130:1,2	<b>transcript</b> 122:21 142:9,10
<b>tachycardia</b> 107:24	<b>testimony</b> 6:19 18:15 54:8 135:21,25 136:3 143:10	<b>timeframes</b> 63:11	<b>transcription</b> 143:10
<b>taken</b> 3:2,6 37:1 65:13 142:9,10 143:7,9	<b>thank</b> 25:14 70:1 122:19 140:10	<b>timely</b> 8:12	<b>transferred</b> 16:20
<b>talk</b> 23:4 38:21 64:19 77:6 87:19 90:9 91:14,23 92:7 97:17 99:8 126:19	<b>thanks</b> 5:10 138:9	<b>times</b> 44:4 89:21 109:23 117:3 122:7 139:12	<b>trauma</b> 14:23 16:2,4,16 17:25 27:18
<b>talked</b> 17:16 24:2 68:11 82:13 84:16,21 96:21 98:10 100:12,13 104:13 110:14 126:19 127:14 136:19	<b>therapies</b> 38:18	<b>time's</b> 71:19	<b>traveling</b> 45:6 89:1
<b>talking</b> 34:16 36:20 48:12 54:13 56:20 72:10 84:14,20 89:3 107:25	<b>therapy</b> 38:13,15,15	<b>timing</b> 114:7 128:3	<b>treat</b> 99:1,3
<b>tasker</b> 57:8	<b>thereto</b> 3:9	<b>tiny</b> 112:9	<b>treated</b> 87:2,4
<b>teach</b> 21:10 22:18 103:6,7	<b>thing</b> 23:1 32:21 33:9,13 56:12 66:7 75:22 87:8 92:4 111:16 126:1 133:6	<b>tissue</b> 90:18 98:1,5	<b>treating</b> 39:5
<b>teaching</b> 20:13,23,24,25 21:1,4,7,8 21:16,20 27:8 28:9,13 137:12	<b>things</b> 7:23,24 19:12 28:3 80:10 136:11	<b>today</b> 18:16 20:11 22:24 23:4,12 23:19 33:21 40:16 136:9 137:13	<b>treatment</b> 37:11,14,17,21,22 38:7,22 38:24 39:1,4,7,19 62:14,22 63:4 64:11 90:9 92:14 93:2 95:9 96:23 97:1 100:4,13 100:17 112:21 113:8 127:5 127:24
<b>technically</b> 15:4	<b>think</b> 5:7 10:3 27:20 29:8 33:14 46:2 49:2 56:1 60:3 62:13 64:6 67:13 75:21 83:21 85:23 90:22 95:19 96:20 97:17,24 99:13 101:12 103:14 105:17,23 109:25 112:1 123:1 128:11 137:6 138:11 140:5	<b>told</b> 80:25 82:15 93:12 101:6 127:23 128:2	<b>treatments</b> 90:6,6 114:16
<b>techs</b> 118:24	<b>thinking</b> 60:5 110:11	<b>tool</b> 71:13	<b>triad</b> 126:21
<b>tell</b> 5:14 12:8,21 14:13 15:24 27:22 31:19 49:21 71:6,11 84:1,10 85:3 101:3 111:1,5 114:11 127:19 134:19 143:5	<b>thought</b> 59:8 111:24	<b>tools</b> 94:22	<b>trial</b> 6:19,25
<b>telling</b> 66:16 83:1,2 111:9	<b>thousand</b> 107:2	<b>top</b> 55:20 97:23 130:25	<b>true</b> 15:20 33:23 35:5 37:16 38:11 41:15 43:8 75:8,12 75:13,15,17,18,20 76:4,7 76:11,12 78:9,15,16,19 80:3,7,12 81:1,2,4 82:16 83:10,15 87:13 89:6,7,10 92:7 93:3,8 97:13,16 98:15 100:9 101:23 102:24 104:2 107:2 112:11,13 113:7 114:13,14 115:13 116:10 121:10 123:12,13 125:25 126:11,12 128:1,19,20,22 135:22,23 136:2,4,5 143:10
<b>ten</b> 92:22 93:14 95:22 96:18 116:25 117:4,17,20 118:10 118:15 126:1,6	<b>three</b> 6:25 14:14 17:3 20:15,16 20:23 26:20 38:22 99:12 108:1,11,19 122:6 126:20	<b>topic</b> 8:13	<b>truth</b> 143:5,5,5
<b>tenderness</b> 107:9,23 108:2,6,7,12 110:5,17	<b>thrombectomy</b> 100:5	<b>toxicology</b> 14:22	
<b>term</b> 110:22,24	<b>thrombi</b> 75:25		

## [try - yalamanchi]

try	11:3 25:9,11,13 77:6 79:15 79:15 80:1 99:4 101:18 127:20	understanding	31:2 32:18 34:1,24 35:22 36:5 65:16 85:16	vermont	11:16	went (cont.)	102:6 103:24,25 119:21 137:7
trying	38:13 66:3,13 79:16 91:19 99:13 112:24 121:25 134:15	understood	5:17 122:2 130:5	version	7:13,14 8:24,25 9:13 56:4,6	we've	17:16 27:4 31:15 40:22 46:2 49:10 126:19
turn	76:15 119:6	unfamiliar	130:16	versions	56:2	wheaton	11:6
turning	28:21	unfractionated	91:2 99:5	versus	13:18 63:12 110:19 134:6	whereof	143:17
twenty	117:4	unit	16:25 137:16,18 139:23	vessel	87:23,24 88:4,6,16	william	2:8 4:23
twice	31:13	united	1:1 35:6 142:1	vessels	88:22,24 89:1	william.ragen	2:10
type	20:24 55:10 133:3	university	11:15 16:17 21:1,7	video	117:14	window	74:8
typed	31:13	unreasonable	45:12 48:5,13,16	videoconference	3:3	wish	29:6
types	22:11 74:13	unsurvivable	114:16	videos	117:7,9,10,11,13	witness	2:3 4:4 56:16 143:11,17
typewriting	143:10	untimely	45:8	view	81:21	wondering	65:16
typical	15:8 26:2	unusual	68:21	violent	53:21 118:22	wording	91:17 120:19
typically	53:20 87:23 88:2 107:13 108:10 111:4	unwilling	76:17	virchow's	126:21	words	4:18 79:20
u		update	7:22,23 9:11	vs	1:5 142:5	work	40:23 94:22 99:22 105:23 115:20,20,23 116:13,13 139:5
u.s.	35:24	updated	7:24	w		worked	16:19,20 40:23 139:17
ucns	26:6	urgency	129:23	wait	8:5 18:20 54:11 99:20	working	17:1 22:19 105:1,22 113:14 113:15 115:16,22,25
uh	21:18 24:11 35:7 90:15	urgent	129:21	waited	93:14	works	113:19
ultrasound	94:25	use	42:23 60:20 61:18	waived	143:12	worries	56:16
um	18:20,20	usually	4:7 7:22 34:1 59:24 87:25 106:7	walking	82:3	write	6:8 38:5,12 69:4
unable	76:17	vague	34:6,10 38:4	wall	126:22	writes	139:22
unclear	36:19 46:6 73:3	vargas	1:3 52:20 142:3	want	6:11 19:18,19 23:17 35:16 49:12 56:5,13 65:6,24,24 66:4,21 67:1,2,8,16,24 77:4 79:17,22 94:6 99:16,19 105:14 111:7 118:3 119:1 127:12,13,18,19 134:16 140:17	writing	37:15,20,22 38:15
uncomfortable	68:17	vasoactive	114:4	wanted	103:19 127:17	written	23:6,7 37:6 59:4 121:7 139:25
undergraduate	11:6 101:24	vein	75:25 119:12	watch	117:6,9	wrong	93:21,21
underlying	89:25	veins	87:25	ways	17:4 80:18 84:21 96:21 98:13 99:1	wrote	7:25 42:19
underneath	126:2	venous	88:19 120:8	weger	33:10,11,12,20	y	
understand	5:13,14 30:23 39:14,15 43:22 85:22 115:11 121:20 134:16	ventricle	95:1	went	11:6 65:19 86:19 96:9	yalamanchi	30:3,9 32:21 33:1 52:17 53:9,11,14 58:12,16,22

[yalamanchi - zoom]

**yalamanchi (cont.)**

59:1,5,7,13 62:5,13,21  
64:10,13,22 65:1 74:3

**yalamanchi's**

59:17

**yale**

16:20 18:7 21:7 105:22

**yay**

24:21

**yeah**

4:19 8:22 9:4 10:3 14:18  
20:17 24:10 31:24 47:17  
48:17 49:6,12 52:10 53:8  
54:13,13 55:9 56:8,17,17  
56:17 57:7 61:11,25 71:20  
71:20 73:2 83:6 90:19 92:6  
96:24 98:9,12,12 99:7  
100:16 107:1 108:5 122:19  
124:24 126:15 135:3  
136:19 138:1,11

**year**

12:20 15:21 16:1 19:21  
21:11 24:17,20,23 26:2  
117:2

**years**

11:21,22 12:5 14:15 16:19  
20:15,19 24:19 27:16

**yelling**

93:13

**yep**

60:8 90:13 104:11

**yesterday**

82:7,7

**z**

**zayed**

30:5,10 32:7,9,12,19 33:1  
62:5

**zoom**

3:2